

In The Matter Of:

*William Whitford, et al., vs.
Gerald Nichol, et al.*

*Deposition of KENNETH R. MAYER, Ph.D.
March 30, 2016*

Verbatim Reporting, Limited

2 East Mifflin Street, Suite 102

Madison, Wisconsin 53703

www.Verbatim-Madison.com

verbatim@tds.net

608.255.7700



"Excellence in Reporting Since 1988"

Min-U-Script® with Word Index

IN THE DISTRICT COURT OF THE UNITED STATES
 FOR THE WESTERN DISTRICT OF WISCONSIN

=====

WILLIAM WHITFORD, ET AL.,

Plaintiffs,

-vs- Case No. 15-cv-421-bbc

GERALD NICHOL, ET AL.,

Defendants.

=====

Deposition of:

KENNETH R. MAYER, PhD

Madison, Wisconsin
 March 30, 2016

Reported by: Taunia Northouse, RDR, CRR, CCP

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 3

1 DEPOSITION of KENNETH R. MAYER, PhD, a
 2 witness of lawful age, taken on behalf of the
 3 Defendants, wherein William Whitford, et al., are
 4 Plaintiffs, and Gerald Nichol, et al., are
 5 Defendants, pending in the United States District
 6 Court for the Western District of Wisconsin, pursuant
 7 to notice and subpoena, before Taunia Northouse, a
 8 Registered Diplomate Reporter and Notary Public in
 9 and for the State of Wisconsin, at the offices of the
 10 State of Wisconsin Department of Justice,
 11 17 West Main Street, in the City of Madison, County
 12 of Dane, and State of Wisconsin, on the 30th day of
 13 March 2016, commencing at 9:03 in the forenoon.
 14

15 A P P E A R A N C E S

16 BRIAN P. KEENAN and GABE JOHNSON-KARP, Assistant
 Attorneys General
 17 STATE OF WISCONSIN DEPARTMENT OF JUSTICE
 17 West Main Street, Madison, Wisconsin, appearing
 18 on behalf of the Defendants.
 keenanbp@doj.state.wi.us 608-266-0020
 johnsonkarp@doj.state.wi.us 608-267-8904
 19

20 PAUL STRAUSS and J. CUNYON GORDON, Attorneys
 CHICAGO LAWYERS' COMMITTEE FOR CIVIL RIGHTS UNDER
 21 LAW, INC.
 100 North LaSalle Street, Chicago, Illinois 60602,
 22 appearing on behalf of the Plaintiffs.
 pstrauss@clccrul.org 312-202-3649
 cgordon@clccrul.org
 23
 24
 25

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 2

1 I N D E X

2 WITNESS Page(s)

3 KENNETH R. MAYER, PhD

4 Examination by Mr. Keenan 4/139

5 Examination by Mr. Strauss 138

6

7

8 E X H I B I T S

9 No.	Description	Identified
10 Exh 64	Professor Mayer's rebuttal report	6
11 Exh 65	Email from Mr. Stephanopolous	67
12 Exh 66	"Myths and Realities of American Political Geography"	68
13 Exh 67	Chart labeled "Act 43"	100
14 Exh 68	Chart labeled "Inc Calcs My Plan"	104
15 Exh 69	Chart labeled "EG act 43 With Inc"	109
16 Exh 70	Chart labeled "EG With Inc"	112
17 Exh 71	Chart labeled "Incumbents"	118
18 Exh 72	Invoices	137
19		
20	REQUESTS	Page
21 1	Correct calculations	126
22		
23		
24	(Original transcript filed with Attorney Keenan, copies provided to Attorneys Keenan, Strauss and Greenwood)	
25		

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 4

1 (Appearances continued)

2

3 RUTH GREENWOOD and ANNABELLE HARLESS, Attorneys
 CAMPAIGN LEGAL CENTER
 73 West Monroe, Suite 322, Chicago, Illinois 60603,
 4 appearing on behalf of the Plaintiffs.
 rgreenwood@campaignlegalcenter.org 202-560-0590
 aharless@campaignlegalcenter.org
 5
 6 =====

7 KENNETH R. MAYER, PhD,
 8 called as a witness, being first duly sworn,
 9 testified on oath as follows:
 10 (Exhibit No. 64 marked for
 11 identification)

12 EXAMINATION

13 By Mr. Keenan:

14 Q Good morning, Mr. Mayer.
 15 A Good morning.
 16 Q You've been here before, so I'll be a little short
 17 on the intro, but you understand you're under oath
 18 today?
 19 A I do.
 20 Q And you understand you're swearing that all your
 21 answers are true and correct to the best of your
 22 abilities?
 23 A I do.
 24 Q Is there any reason you couldn't give truthful
 25

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 5

1 **testimony today?**
2 A No.
3 **Q I think the -- one reminder would be that if you**
4 **don't understand a question I'm asking, I'll ask**
5 **you to let me know so that I can repeat it or**
6 **rephrase it and then we can get a clear record.**
7 **Do you understand?**
8 A I understand.
9 **Q And you're doing a good job of making verbal**
10 **answers, so we'll just try to continue that. And**
11 **then also try to let me finish my question. I'll**
12 **try to let you finish your answer.**
13 **What did you do to prepare for your**
14 **deposition today?**
15 A I reviewed my report. I reviewed the expert
16 reports of Goedert and Trende, reviewed the
17 materials that underwent -- that went into my
18 report, reviewed some other materials and
19 depositions. That's what I did.
20 **Q Did you meet with anyone to prepare?**
21 A I did.
22 **Q Who did you meet with?**
23 A I met with counsel.
24 **Q And when was that?**
25 A Yesterday and last Wednesday.

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 6

1 **Q And how long were those meetings?**
2 A One was about an hour and a half, and one was
3 about three hours.
4 **Q And just specifically which counsel was there?**
5 A It was --
6 **Q If it differs between the two meetings --**
7 A It was the people here today. Yesterday
8 Doug Poland was present, and last week
9 Nick Stephanopolous was there.
10 **Q Okay. And then prior to the deposition, you were**
11 **aware that you had to -- you were subpoenaed for**
12 **some documents related to your report; is that**
13 **correct?**
14 A That's correct.
15 **Q And what did you do to gather the documents and**
16 **provide them to your counsel?**
17 A I searched on my computer locations where I kept
18 the files, went through my report, table by table
19 and footnote by footnote, and correlated the two.
20 So any data or any information that I used to form
21 my opinion I disclosed.
22 **Q So let's get into your report. We've marked**
23 **Exhibit 64, and I've put a copy before you -- and**
24 **for the record I've also -- there's also a copy of**
25 **your initial report in this case here for**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 7

1 **reference in case you want to refer to it that I**
2 **think was marked as Exhibit 1, although the one I**
3 **put here doesn't actually have the exhibit sticker**
4 **because I didn't have a color version. So we can**
5 **just start on your report, and I was going to skip**
6 **over the summary because you get into more detail**
7 **later in the report, and start with Roman**
8 **numeral II which is on page 3.**
9 **I was going to direct your attention to the**
10 **second paragraph that starts, "I begin by noting."**
11 **And direct your attention to the sentence that**
12 **says, "Similarly, under the test third prong, if**
13 **the state would have to show that its plan's large**
14 **efficiency gap was necessitated by the geographic**
15 **distribution of the state's voters, then the plan**
16 **would be upheld."**
17 **What is your understanding of what that**
18 **means, "the state would have to show"?**
19 A My understanding of the test is that it has three
20 parts. The first is intent. The second is
21 effect. And the third is whether it was possible
22 to draw an unbiased map.
23 Again, I'm not a lawyer, and this is my
24 understanding as a social scientist looking at
25 this.

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 8

1 **Q And in your understanding, is your demonstration**
2 **plan what you term an unbiased map?**
3 A I just want to be clear about my terminology. I
4 would describe the demonstration plan as a map
5 that treats members of the political parties
6 similarly.
7 **Q Is it your understanding that your demonstration**
8 **plan shows that the large efficiency gap in the**
9 **actual plan is not necessitated by the geographic**
10 **distribution of the state's voters?**
11 A That's correct.
12 **Q Now, your demonstration plan does show a**
13 **pro-Republican efficiency gap both in the baseline**
14 **model and in the incumbent model; correct?**
15 A That's correct.
16 **Q Is it your position that that's unbiased because**
17 **it's just the magnitude of the efficiency gap?**
18 A To be clear, I did not draw the demonstration plan
19 with the goal of driving the efficiency gap to
20 zero, which I suspect I would have been able to
21 do, but it was to treat members of the political
22 party fairly. And I regarded an efficiency gap
23 of -- I think it was 2.2 percent as acceptable.
24 **Q And --**
25 A Or actually, let me clarify. As an indication

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 9

1 that the large efficiency gap in Act 43 was not
2 necessary; that it was possible to draw a map that
3 comported with population equality, the
4 constitutional and statutory requirements, and the
5 traditional redistricting principles.
6 **Q And you mentioned the 2.2 percent gap, and that's**
7 **the gap under the no incumbent, all season tested**
8 **baseline; correct?**
9 **A Correct.**
10 **Q And while drawing the demonstration plan, did you**
11 **consider the residences of incumbents and where**
12 **they would be districted under the demonstration**
13 **plan?**
14 **A I did not.**
15 **Q And that would go to both Assembly members and**
16 **State Senate members as well?**
17 **A That's correct.**
18 **Q Now, in this report, you've added some**
19 **calculations based on incumbency; correct?**
20 **A That's correct.**
21 **Q And so those numbers have been calculated based on**
22 **an after-the-fact realization of whether an**
23 **incumbent was living in a particular demonstration**
24 **plan district?**
25 **A That's correct.**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 10

1 **Q And moving to the next paragraph, you say that --**
2 **the second sentence says, "First, the geographic**
3 **concentration argument is predicated on the**
4 **foundational assumption that a neutrally drawn map**
5 **would have produced a pro-Republican bias."**
6 **Do you have an opinion on what the efficiency**
7 **gap would have been in a neutrally drawn map for**
8 **the 2012 elections?**
9 **A That's a hypothetical that I did not consider;**
10 **although I know that, for example, Professor Chen**
11 **did an analysis where he did do a number of**
12 **simulated maps under the neutral principles and**
13 **found that the efficiency gap in those plans was**
14 **much smaller than the one in Act 43, which I take**
15 **as evidence that a neutrally drawn map would tend**
16 **to produce a much lower efficiency gap.**
17 **Q A lower efficiency gap than the one seen in**
18 **Act 43?**
19 **A Yes.**
20 **Q But do you have an opinion on whether it would**
21 **still be a pro-Republican efficiency gap?**
22 **A Well, again using his analysis, there was a**
23 **small -- a small efficiency gap, but again the**
24 **issue is not whether the efficiency gap has to be**
25 **zero. It's whether a map is drawn in a way that**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 11

1 treats members of the political parties fairly.
2 And there's a difference between a 2 percent
3 efficiency gap and a 14 percent efficiency gap.
4 **Q Sure. And are you aware of Simon Jackman's**
5 **reports in this case?**
6 **A Generally.**
7 **Q Have you read them?**
8 **A I -- I've read his first report. I did not give**
9 **his rebuttal report a very close read.**
10 **Q Are you aware of what his calculations of the**
11 **efficiency gap were for Wisconsin under the 2000**
12 **plan that was enacted by a federal court?**
13 **A I'd have to look at the report. I don't remember**
14 **off the top of my head.**
15 **Q Are you aware that the average efficiency gap was**
16 **negative 7.5?**
17 **A That sounds -- that sounds roughly correct;**
18 **although I'm not certain.**
19 **Q And you aren't opining that the federal court in**
20 **the Baumgart case was intending to treat members**
21 **of the different political parties differently,**
22 **are you?**
23 **A No, not at all. In fact, the political science**
24 **literature on redistricting is quite clear that in**
25 **a neutral process can produce a nonneutral**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 12

1 outcome.
2 **Q And so do you have any opinions on why Wisconsin**
3 **saw such a nonneutral outcome in favor of**
4 **Republicans even under a neutral plan in the 2000s**
5 **decade?**
6 **A As I recall describing in my first deposition, I**
7 **was retained as an expert in that case. And my**
8 **understanding of how that process evolved is that**
9 **both the parties to that lawsuit submitted their**
10 **own plans to the court, which then presumably --**
11 **although I'm not certain because I don't know for**
12 **a fact how they went about drawing their maps --**
13 **incorporated those plans into the judicially drawn**
14 **map. So I don't know why. I don't know**
15 **specifically what specific decisions they made.**
16 **But again, I'm not contesting that a neutral**
17 **process can produce a nonneutral outcome.**
18 **Q And are you aware that the neutral process**
19 **produced efficiency gaps of negative 12 and**
20 **negative 10 in two different elections?**
21 **A I couldn't say without looking at the report.**
22 **Q So you also wouldn't contest that a neutral**
23 **process could lead to even large efficiency gaps**
24 **in favor of one party?**
25 **A Again, I would want to look at the report before I**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 13

1 would render an opinion on that.

2 **Q Continuing on in the paragraph we were looking at,**

3 **you say the record in the federal redistricting**

4 **trial clearly shows that Act 43 was designed with**

5 **a predominant purpose of benefitting Republicans**

6 **and disadvantaging Democrats. Okay. And that**

7 **sentence continues on, but I want to focus on**

8 **that.**

9 **So when you say the federal redistricting**

10 **trial, you're referring to the Baldus case?**

11 A Correct.

12 **Q And what in the Baldus record shows that Act 43**

13 **was designed with a predominant purpose of**

14 **benefitting Republicans?**

15 A Well, there was a line in the decision where -- I

16 think it was Judge Stadtmueller who wrote the

17 opinion -- he said that he found the claims of the

18 experts who drew the map that partisanship played

19 no role in their decision, I think the term is

20 "almost laughable."

21 **Q Now, he said that alleged testimony that**

22 **partisanship played no role was laughable, but was**

23 **there a finding that the purpose was -- the**

24 **predominant purpose was benefitting Republicans?**

25 A Well, I don't know that that was a fact issue

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 14

1 before the trial. But I think the record is quite

2 clear that the sequence of events and subsequent

3 depositions, if you looked -- I've looked at

4 Professor Gaddie's recent deposition, the denials

5 that this was -- the denials of the people who

6 drew the map that this was not done with partisan

7 intent I simply don't find remotely credible.

8 **Q And I'm trying to get at what the evidence is that**

9 **the predominant purpose was benefitting the**

10 **Republicans, not just that there was some purpose**

11 **of benefitting Republicans? Do you have evidence**

12 **of that?**

13 A Well, you can look at the evidence of evolution of

14 the maps, the kinds of partisan analysis that they

15 did, the way in which they assessed the

16 consequences of their maps. And again this is

17 all -- these are all issues that have been

18 established. The secrecy of it, the fact that it

19 was, you know, so tightly controlled, and the

20 examples that I found in my own analysis of

21 packing and cracking.

22 I mean, I think if you lined up a thousand

23 political scientists and look at this map, you'd

24 probably get pretty close to unanimous agreement

25 that this was -- that no one would believe that

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 15

1 this was not done in a manner that was designed to

2 maximize the Republican advantage.

3 **Q So you think Act 43 is the most advantageous**

4 **Republican plan that could have been enacted?**

5 A I don't know if it's the most Republican plan, but

6 it's --

7 **Q Isn't that what "maximize" means?**

8 A Well, again, I don't know what the -- I'm using

9 "maximum" is that it was not possible to draw a

10 map with more of an efficiency gap, but it's

11 pretty clear that this was about as good as it was

12 going to get.

13 **Q Do you consider yourself an expert in interpreting**

14 **the records of lawsuits?**

15 A Can you define what you mean, "records of

16 lawsuits"?

17 **Q Well, you're the one making an opinion about the**

18 **record of the federal redistricting trial and what**

19 **it shows. I'm wondering where you get your**

20 **expertise to make that opinion.**

21 A I can read. I can read a judicial opinion. I

22 know what judges say.

23 I have enough experience participating in

24 these trials to know what other social scientists

25 and experts do.

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 16

1 **Q So it's just based on your own reading of the**

2 **record and the legal decision?**

3 A The -- so, you know, it's not based on any

4 statutory or issues of judicial philosophy, the

5 sorts of things -- Rules of Civil Procedure or

6 anything else. You have the fact record. You

7 have the maps. You have what they did. You have

8 what the judges said about what they did. And I

9 think that's pretty clear.

10 **Q And is there any recognized test or method by**

11 **which political scientists go about examining the**

12 **intent of legislatures in designing districting**

13 **plans to determine whether -- what their purpose**

14 **of the plan was?**

15 A So the -- there are a couple of ways that

16 political scientists do this. Take

17 Professor Goedert, for example. He defines a

18 partisan gerrymander as whenever you have unified

19 control of government which you had here. And my

20 analysis of the plan was based largely on the

21 effects. And this is not an issue of statutory

22 interpretation or legislative intent. This is

23 looking at what the experts and what the people

24 who drew the map actually did in terms of the

25 progression of the maps, how they describe them,

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 17

1 the files, the way that they analyzed them.
2 And based on my experience with 30 years of
3 experience in quantitative analysis and social
4 science, this was a clear indication to me that
5 they were trying out different permutations with
6 the intent of maximizing, if not in a sense of
7 they couldn't do better but getting a very large
8 partisan benefit for their side.
9 **Q And you mentioned trying out the different maps.
10 Do you have specific documents or pieces of
11 evidence in mind about that?**
12 **A** Well, not sitting in front of me. But we -- both
13 in the federal trial and also in the recent files
14 that Professor Gaddie talked about and in the
15 Lanterman files, it shows sequences of maps and
16 different names, aggressive and chronological
17 sequence. So that's what I'm referring to in that
18 regard. But I don't have the actual names in my
19 head sitting here.
20 **Q In that chronological sequence, are you offering
21 an opinion that they tried many different maps and
22 then in the end picked the one that was most
23 advantageous to the Republicans?**
24 **A** I don't know that I can say that based on that
25 chronology, but it has -- certainly has all the

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 18

1 hallmarks of that kind of activity. And again,
2 the primary focus of my report is not on intent.
3 The primary focus of my report is on the effects.
4 **Q So we can go to the effects. You say that --
5 continuing in the next paragraph in your record --
6 that "Trende and Goedert don't quantify how much
7 an effect geography has on the efficiency gap."
8 Do you have an opinion on how much effect
9 geography has on the efficiency gap in your
10 demonstration plan?**
11 **A** Well, there are two things going on in your
12 question. This paragraph is a response to the
13 claim that natural political geography produces a
14 pro-Republican efficiency gap and is an
15 explanation for the efficiency gap that we
16 observe. I noted in this paragraph that they have
17 done no analysis that actually shows that --
18 either that there is a large Republican bias in
19 the political geography or of the state. And even
20 if there was, they had done no analysis that would
21 demonstrate how much of an effect it would have on
22 the efficiency gap. It's simply an assertion
23 without any evidence that because of an
24 asserted -- incorrectly asserted pro-Republican
25 political geography, that that's why you see an

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 19

1 efficiency gap. And I disputed both the fact of a
2 pro -- pro-Republican, a large pro-Republican
3 efficiency gap and the political geography and the
4 fact that even if that's true, which it isn't,
5 they were not able to, and did no analysis to
6 quantify how much of an effect that would have on
7 the efficiency gap. It's -- I mean, we simply are
8 supposed to take their word that this is why we
9 see the efficiency gap that we do.
10 **Q And we did see large efficiency gaps under the
11 core plan in favor of Republicans based on
12 Simon Jackman's work. You'd agree with that;
13 correct?**
14 **A** I would prefer actually to see the report before
15 making a judgment on that.
16 **Q But you would agree that whatever those efficiency
17 gaps Jackman calculated, none of the cause was
18 partisan gerrymandering?**
19 **A** It was a judicially drawn map, so --
20 **Q And you haven't offered any opinion on why those
21 large efficiency gaps presented themselves under
22 neutral plans with no partisan intent at all?**
23 **A** No.
24 **Q And do you think that -- is it your opinion that
25 the Republican legislature, when they took over in**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 20

1 **2010, should have then enacted a plan that had --
2 was less advantageous to them than the one that
3 had previously been enacted by the federal court?**
4 **A** That's a question that I'm not in a position to
5 answer. I was not advising the legislature, so --
6 **Q But the demonstration plan you draw is less
7 advantageous to Republicans than the federal court
8 plan was; correct?**
9 **A** That's correct. I mean, I'm hesitating because
10 the federal court plan was drawn in 2002. My
11 demonstration plan was done based on the 2010
12 census; so there are some differences there but --
13 **Q We can move on to the next page and go to the
14 subheading A for Sean Trende's report. And in the
15 first paragraph right underneath sub-A, you
16 discount Trende's analysis about other areas of
17 the United States like the south and Virginia as
18 irrelevant to Wisconsin. Can you explain what you
19 mean by that?**
20 **A** Simply that the political geography of Virginia is
21 not relevant to the political geography of
22 Wisconsin. And it just -- I mean, it has no real
23 relevance to understanding what's happening in
24 this state.
25 In addition, I recall that he also spent

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 21

1 almost all of his time talking about congressional
2 districts, which again does not necessarily give
3 you any insight to state legislative
4 redistricting. So I regarded that as an argument
5 that is just irrelevant to what I did.

6 **Q Because the political geography of other states
7 isn't relevant to the political geography of
8 Wisconsin?**

9 **A** I mean, if you're interested in the political
10 geography of Wisconsin, you need to look at
11 Wisconsin. I mean, as a social scientist you
12 would never try to make an inference about
13 Wisconsin by looking at a state like Virginia.
14 You would want to look at Wisconsin.

15 **Q Do you think it's appropriate to judge the
16 efficiency gap that Wisconsin sees in reference to
17 the average efficiency gap seen in other states?**

18 **MR. STRAUSS:** Object to the form.

19 **A** Well, you're talking about two different things.
20 So in one case we have a measure of something as a
21 quantity of interest. And we can compare that
22 quantity of interest to see how it varies across
23 the state -- across the states. And those kind of
24 interstate analyses are done all the time when
25 you're looking at data on a wide variety of

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 22

1 states. But the way that Mr. Trende does it is to
2 make an assertion that Republicans are more
3 favorably dispersed than Democrats in Wisconsin.
4 And to use that to -- to make that argument he
5 looks at other states. And that's an
6 inappropriate -- he's not comparing the
7 distribution of partisans in Virginia and
8 Wisconsin. He is making the claim that because he
9 asserts partisans are distributed in a particular
10 way in Virginia, that that tells you how they are
11 distributed in Wisconsin. Where the proper
12 technique would be to look at the differences
13 between the two states and try to make inferences
14 from that. But even that would require you to
15 have an accurate measure of those distributions,
16 which he does not.

17 **Q Would you say that analysis of other states in
18 areas of the country would be relevant to
19 analyzing trends in the efficiency gap nationally?**

20 **A** I'm not sure what you mean by nationally. Do you
21 mean coming up with a national efficiency gap
22 or --

23 **Q Sure. Like trends that show the efficiency gap
24 is -- the average efficiency gap is moving towards
25 the Republican favor across all states.**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 23

1 **A** Well, you can't necessarily make that inference
2 because there are several moving parts. You might
3 observe a change because of political geography.
4 You might also observe that change because of
5 gerrymandering. So I don't know, based solely on
6 looking at the efficiency gap in other states over
7 time, whether you can -- again the issue is
8 drawing an inference about what's happening in
9 Wisconsin. And you can get some information about
10 what is happening or what the characteristics of
11 the quantity you're interested in measuring are.
12 But you would -- you would not, I don't think, be
13 able to, or would want to make the argument that
14 because something is happening in another state it
15 must be happening in Wisconsin. And that's
16 essentially what Trende did.

17 **Q You also took issue with his use of the county
18 votes. How far back does ward-level vote data go
19 in Wisconsin?**

20 **A** I think if you went to the Blue Book, you could go
21 back many decades. I think --

22 **Q And the Blue Book data by county?**

23 **A** Well, Blue Book contains -- I'm working from
24 memory here, but my recollection is the previous
25 editions of the Blue Book contain presidential

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 24

1 vote by ward, and they may also have in some years
2 the Assembly vote by ward. So the ward-level data
3 goes back quite a ways.

4 **Q Do you know how far back?**

5 **A** I don't.

6 **Q But you're referring to the Blue Book. So we
7 could look at the Blue Books and whatever is there
8 would be what's there; correct? You're basing
9 your memory of what you think the Blue Book
10 contains; is that correct?**

11 **A** That's correct.

12 **Q So we would have to actually look at the
13 Blue Books and that would resolve our question;
14 correct?**

15 **A** Yes.

16 **Q You agree that the partisan index shows which
17 areas of the state are more Democrat or more
18 Republican than the state as a whole; is that
19 correct?**

20 **A** I just want to be precise that the way that Trende
21 uses the partisan vote index is that it shows you
22 how a particular geographic area compares to the
23 state as a whole. So, you know, area needs to be
24 specified or region needs to be specified in order
25 to make that statement.

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 25

1 **Q So a partisan index done on the ward level shows**
2 **you how much more Democratic or Republican that**
3 **ward is in the state as a whole?**
4 **A** Again, there are a number of assumptions that are
5 built into that depending on what election you're
6 looking at. But in a particular election it will
7 tell you what the PVI does is it simply
8 renormalizes the distribution of ward-level votes
9 around the statewide average.
10 **Q And if you would do that for a county, it would be**
11 **the same concept, just at a different geographic**
12 **level?**
13 **A** As he calculated it, that's correct.
14 **Q And you could also use that for, like, a**
15 **congressional district or a state legislative**
16 **district; is that correct?**
17 **A** Yes.
18 **Q You say "PVI" -- moving to page 5 -- "is almost**
19 **exclusively used by political commentators to**
20 **describe congressional districts. And you say**
21 **"it's not used in the context of state legislative**
22 **redistricting."**
23 **Why would a measure that's used for**
24 **congressional districts not be applicable to state**
25 **legislative redistricting?**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 26

1 **A** The primary problem is that the PVI is not really
2 used in redistricting at all. What the PVI is
3 generally used for is simply as a metric of how a
4 particular area or congressional district, which
5 is the Cook PVI, which is how it was originally
6 developed to talk about the competitiveness of a
7 congressional district. It doesn't give you any
8 purchase in evaluating hypothetical plans. It
9 doesn't give you any way of evaluating or
10 transferring from one level of geography to
11 another. And the only references that I found in
12 the academic literature were as a purely
13 descriptive variable or a descriptive measure of
14 the level of the competitiveness of congressional
15 districts. I have never seen it used in the
16 context of analyzing state legislative
17 redistricting plans. And I note that Trende
18 didn't cite any studies, and he could not identify
19 any studies where it was so used.
20 **Q Is it your opinion that if something's not used in**
21 **a study, then it's not helpful at all in analyzing**
22 **a particular issue?**
23 **A** My view is that a metric that is used almost
24 exclusively by political commentators and for a
25 very narrow purpose does not give you a way to

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 27

1 make reliable inferences, particularly when there
2 are much more widely accepted and accurate
3 measures of analyzing redistrictings.
4 So the short answer is yes, the fact that it
5 hasn't been used in academic study means that it
6 doesn't give you much purchase. The longer answer
7 is that there's a reason for that that political
8 commentators are trying to describe. They are
9 using shorthand. Whereas, in the scholarly
10 literature people are trying to make reliable
11 inferences about empirical effects. And the PVI
12 is simply not useful in that regard.
13 **Q Is it your understanding that Trende was using the**
14 **PVI to analyze the Wisconsin districting plan?**
15 **A** He was using the PVI as a way of describing
16 geographic clustering, which is also incorrect
17 because things like the PVI are not used in the
18 literature on spatial analysis in geography. So
19 again, it's a metric that is used in one context.
20 And in my view, Trende was inappropriately
21 applying it to other contexts where it is not
22 applicable.
23 **Q Do you think it's not even helpful to look at the**
24 **change over time in the state to see which areas**
25 **have become more Republican or more Democratic**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 28

1 **over time?**
2 **A** I don't think it's useful for that. There are
3 much better indicators that will give you much
4 more reliable information about what's actually
5 going on.
6 **Q And what are those indicators?**
7 **A** The indicators that -- the indicators that I use
8 which are among the measures used by geographers,
9 the Moran's I and the Isolation Index, just to
10 give two.
11 **Q And we'll get to that. Moving down to the next**
12 **paragraph, you mention two errors, and one of them**
13 **is the top-of-the-ticket race in 2006. You said**
14 **it was used -- using the Senate race instead of**
15 **the governor's race. So if you redid that**
16 **analysis in the 2006 race using the governor's**
17 **race, would that correct that error?**
18 **A** I don't know. I took that as an indicator of
19 methodological carelessness because, as I noted in
20 my report, there are different views about what
21 constitutes the correct top-of-the-ticket race
22 when we're in a midterm year. Some people argue
23 that the gubernatorial race is better, others that
24 the Senate is better. My objection is that he
25 switched. He used gubernatorial election in some

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 29

1 years and the Senate election in 2006. And given
2 the fact that those two elections were
3 significantly different in terms of their
4 competitiveness, I think 2006 was 53 or
5 52 percent, looking at my report 53.8 percent
6 Democratic, and the Democratic Senate race was
7 60.5, that's a material difference.
8 **Q Do you know how that difference affected any of**
9 **his calculations?**
10 A I don't.
11 **Q And then you note an error in the code. Do you**
12 **know between 2004 and 2012, moving on to the next**
13 **set of paragraphs, do you know how that error**
14 **affected Trende's analysis?**
15 A Again, I took it as a sign that he was not doing
16 reliable analysis because these are not the sorts
17 of errors that a careful social scientist would
18 make. I mean, it was just -- I don't know whether
19 it was carelessness or what, but I take this as an
20 indicator that he was not going about the process
21 of doing this analysis correctly.
22 **Q Turning to the next page, look at figure A. And**
23 **I'll get my color copy out since this one is in**
24 **color. Could you explain what this figure shows?**
25 A This figure is a graph of the average Democratic

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 30

1 vote in Democratic wards, meaning it's the average
2 Democratic vote in a ward where the Democrats
3 receive more than 50 percent of the vote in the
4 top ticket race as well as the Republican --
5 average Republican vote share in pro-Republican
6 wards. And this is a more direct measure of
7 partisanship. And it shows that between 2002 and
8 2014, that Democratic wards and Republican wards
9 both became more Republican or Democratic over
10 time. And this in my view contradicts Trende's
11 assertion that Democratic wards have become more
12 Democratic while Republican wards have not become
13 more Republican.
14 **Q Now, does this graph tell you how many democratic**
15 **wards there were in a particular year?**
16 A No.
17 **Q Or republican wards? It's just the average of all**
18 **wards?**
19 A That's correct.
20 **Q Now, when I look at this graph, I see it goes from**
21 **2002 to 2014. Why did you start at 2002?**
22 A I could have started earlier. That was just -- it
23 may have been because that's when Trende started
24 his.
25 Give me a second here. I think I used 2002

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 31

1 because -- to 2014 because that's what Trende did.
2 So I was simply trying to replicate over a similar
3 time period.
4 **Q And in looking at this graph, in 2002 the**
5 **Republican average ward is 60 and a half percent;**
6 **is that about right?**
7 A That looks about right.
8 **Q And then in 2004 for the Republicans, following**
9 **the red line, it's actually less than that. Goes**
10 **down to about 60 percent?**
11 A That's about right.
12 **Q And then in 2006, it goes down to 59 percent?**
13 A That's correct.
14 **Q And then in 2008, it goes down to 58 and a**
15 **half percent about?**
16 A Roughly.
17 **Q And then 2010, it jumps up to 62 and a**
18 **half percent or so?**
19 A Yes.
20 **Q And then 2012, which is the year you had**
21 **calculated the efficiency gap for, it goes back**
22 **down to about 60, maybe a little bit higher than**
23 **60 percent; correct?**
24 A Correct.
25 **Q So if I'm reading this correctly, from 2002 to**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 32

1 **2012, there's no change in the Republican -- the**
2 **average Republican vote in a Republican ward other**
3 **than in the 2010 election?**
4 A Well, I mean, you can look at a graph like this
5 and cherry-pick your starting and stopping point.
6 The point I was trying to demonstrate is that over
7 the range of time that Trende was doing his
8 analysis, that he was insisting that the Democrats
9 had become far more concentrated. And this is a
10 graph that shows over that period that that's not
11 so. And it's also to keep in mind that this is
12 not a graph that goes with the X axis from zero to
13 100. So even at the maximum difference we're
14 talking about a little over 2 percentage points or
15 3 percentage points.
16 **Q And I'm just going to go through the same exercise**
17 **with the Democrats. They start out at 61 maybe**
18 **.25 or something like that in 2002?**
19 A Roughly.
20 **Q And then they go down in 2004 to about maybe**
21 **1 percentage point or so to 60.25 about?**
22 A Correct.
23 **Q And then they go back up in 2006 to 61 percent?**
24 A Correct.
25 **Q And then in 2008, it's 62 and a half**

<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 33</p> <p>1 maybe percent?</p> <p>2 A Roughly.</p> <p>3 Q And then 2010 maybe -- it goes down a little bit</p> <p>4 but maybe 60.25 or something; is that correct?</p> <p>5 A Roughly.</p> <p>6 Q And then 2012, it's up a little bit again to 62</p> <p>7 and a half or so?</p> <p>8 A Correct.</p> <p>9 Q And then 2014, it's about 63 -- I don't know,</p> <p>10 probably doesn't get up to a half but over</p> <p>11 63 percent; correct?</p> <p>12 A That's correct.</p> <p>13 Q And then Republicans are also over 63? They're</p> <p>14 about 63 and a half in 2014?</p> <p>15 A Correct.</p> <p>16 Q Now, the particular wards that fall into a</p> <p>17 Democratic or Republican ward in each year don't</p> <p>18 remain consistent across the years; is that</p> <p>19 correct?</p> <p>20 A There's no requirement. They can change.</p> <p>21 Q So, for example, a ward that was 51 percent</p> <p>22 Democratic in one year and then flipped to be</p> <p>23 51 percent Republican in the next election would</p> <p>24 go from being a part of the blue line data to part</p> <p>25 of the red line data?</p>	<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 35</p> <p>1 adjacent to wards of a certain PVI."</p> <p>2 Is it your opinion that wards that are close</p> <p>3 together but not adjacent would likely not be</p> <p>4 districted together?</p> <p>5 A My opinion is that the way in which Trende</p> <p>6 conducts this analysis does not lead to any</p> <p>7 reliable conclusions about levels of concentration</p> <p>8 because we have no information about how close</p> <p>9 wards are. And the way that he applies it is a</p> <p>10 methodology that I've never seen in the geography</p> <p>11 literature. And there's lots of people who do</p> <p>12 nearest neighbor analyses of populations, but I've</p> <p>13 never seen it done in this manner. And so my</p> <p>14 criticism of the method is that his -- this metric</p> <p>15 of the median distance of wards of a similar</p> <p>16 partisan lean tells us nothing useful with regard</p> <p>17 to either redistricting or concentration of</p> <p>18 different populations.</p> <p>19 Q So absolutely nothing? Just because they're not</p> <p>20 adjacent to each other?</p> <p>21 A Well, that's one problem. There are a number of</p> <p>22 others.</p> <p>23 Q You say that, "Likewise, it's entirely possible</p> <p>24 that wards of the same partisan make-up are close</p> <p>25 together but quite difficult to combine in the</p>
<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 34</p> <p>1 A That's correct.</p> <p>2 Q But you would admit that from 2002 to 2014, the</p> <p>3 average Democratic vote in a Democratic ward has</p> <p>4 increased?</p> <p>5 A I would look at this graph and say that the</p> <p>6 average Democratic vote in a Democratic ward</p> <p>7 increased, but the average Republican vote in a</p> <p>8 Republican ward increased over that same time</p> <p>9 period even more. So I look at this and see that</p> <p>10 movement is roughly equivalent over the full range</p> <p>11 of the time period.</p> <p>12 Q And that's ending -- is that based on the ending</p> <p>13 point in 2014?</p> <p>14 A Correct.</p> <p>15 MR. KEENAN: Can we just take a</p> <p>16 short break right now?</p> <p>17 THE WITNESS: Sure.</p> <p>18 (Recess)</p> <p>19 By Mr. Keenan:</p> <p>20 Q We'll go back on the record and we'll move on to</p> <p>21 the Section 2 here about the nearest neighbor. I</p> <p>22 was going to flip forward to page 7. And I'm</p> <p>23 looking at the last full paragraph, the second to</p> <p>24 the bottom. And you say that, "Trende's method</p> <p>25 tells us nothing about which wards are actually</p>	<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 36</p> <p>1 same district." It has in parentheses "think of a</p> <p>2 densely populated but politically heterogeneous</p> <p>3 area."</p> <p>4 Do you have any particular area in mind there</p> <p>5 in the state of Wisconsin that would fit that</p> <p>6 criteria?</p> <p>7 A Not off the top of my head, no.</p> <p>8 Q In fact, in Wisconsin aren't the densely populated</p> <p>9 areas actually politically homogeneous?</p> <p>10 A Well, I don't know that I'm prepared to make that</p> <p>11 statement. But to the degree that there are</p> <p>12 homogeneous areas, that they concentrate Democrats</p> <p>13 and Republicans in roughly equal measure.</p> <p>14 Q The city of Milwaukee is a densely populated area,</p> <p>15 is it not?</p> <p>16 A That's correct.</p> <p>17 Q And that is politically homogeneous in favor of</p> <p>18 the Democrats; is that correct?</p> <p>19 A I would say for the most part, yes.</p> <p>20 Q And then the city of Madison is also a densely</p> <p>21 populated area; correct?</p> <p>22 A Probably not as densely as Milwaukee.</p> <p>23 Q Sure. But compared to the rest of the state, it's</p> <p>24 densely populated?</p> <p>25 A Well, I mean, I haven't actually looked at the</p>

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 37

1 specific density figures of number of people per
2 square mile, but that's plausible certainly.

3 **Q And then the city of Madison also is politically**
4 **homogeneous, and it's in favor of the Democrats;**
5 **is that correct?**

6 A That's correct.

7 **Q Are you aware of any similarly sized cities in**
8 **Wisconsin that -- or even counties that are as**
9 **strongly Republican as the city of Madison, city**
10 **of Milwaukee are strongly Democratic?**

11 A Well, that's not the only measure. There are
12 areas that are -- have roughly equivalent
13 concentrations: Waukesha County, Ozaukee County,
14 Washington County. So again, using the accepted
15 measures of political concentration and
16 segregation, those measures show that Republicans
17 and Democrats as a whole are concentrated in
18 roughly equal measures.

19 **Q Do Waukesha, Ozaukee, the other Republican**
20 **counties you mentioned vote in favor of the**
21 **Republican candidates at the same level that the**
22 **city of Madison and the city of Milwaukee vote in**
23 **favor of Democratic candidates?**

24 A Not to the same degree.

25 **Q They're slightly less -- they are less favorable**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 38

1 **to Republicans; is that correct?**

2 A That's correct.

3 **Q So when districting, either strongly Republican or**
4 **strongly Democratic areas -- for example,**
5 **Waukesha County, when you district that the**
6 **Assembly is likely to produce all Republican**
7 **seats; is that correct?**

8 A Sorry is, say that again.

9 **Q When districting the Assembly and districting**
10 **Waukesha County, that's likely to produce seats**
11 **that are Republican seats; is that correct?**

12 A Correct.

13 **Q And then districting Milwaukee, you're likely to**
14 **district -- whichever way you do it is likely to**
15 **result in Democratic seats; is that correct?**

16 A That's correct.

17 **Q And then in doing that, you'll have safe seats for**
18 **both parties; is that correct?**

19 A Well, I'd say that probably, but I would want to
20 do the analysis to make sure. But that certainly
21 sounds reasonable.

22 **Q And given that Milwaukee votes for the Democrats**
23 **at higher levels than Waukesha votes for**
24 **Republicans, aren't there going to be more wasted**
25 **votes in Milwaukee for the Democratic legislative**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 39

1 **candidates than there are in Waukesha for the**
2 **Republican legislative candidates?**

3 A Well, I'm going to take issue with the premise
4 because the efficiency gap is not calculated based
5 on a region of the state. The efficiency gap is
6 calculated statewide. So the fact that there are
7 more wasted votes in one area than another area by
8 itself doesn't tell you what the statewide
9 efficiency gap would be. So that's not a useful
10 inferential method.

11 **Q But those will be components of a statewide**
12 **efficiency gap; correct?**

13 A That's correct.

14 **Q And then in districting the rest of the state,**
15 **won't the legislatures have to make up the**
16 **difference for the excessive wasted votes in the**
17 **city of Milwaukee compared to the wasted votes in**
18 **the county of Waukesha?**

19 **MR. STRAUSS:** Object to the form of
20 the question.

21 A I'm going to dispute the term "excessive."

22 **Q They're larger, aren't they?**

23 A Well, but there's a difference between larger and
24 excessive.

25 **Q That difference has to be made up somewhere to get**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 40

1 **a balanced map across the state; correct?**

2 A That's correct. Although based just on that, we
3 don't know how -- you know, what the difference in
4 wasted votes would be.

5 **Q If we can go to page 9, and Table A shows that**
6 **Democratic wards are of smaller square mileage**
7 **than Republican wards; that's correct?**

8 A That's correct.

9 **Q And that is particularly true in the city of**
10 **Milwaukee which has a mean square mileage per ward**
11 **of only 0.29 square miles?**

12 A Correct.

13 **Q And the median is 0.20 miles; correct?**

14 A Correct.

15 **Q And so you say that his method will always show**
16 **that Democratic wards are closer to Republican**
17 **wards because Democratic wards are smaller; is**
18 **that correct?**

19 A Correct.

20 **Q Then you take issue with his use of the mean and**
21 **the median. Why don't I just have you explain**
22 **what your problem is with using one or the other**
23 **in what Trende did.**

24 A So the issue with the way that he conducts his
25 analysis is that he puts his thumb on the scale

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 41

1 twice in ways that guarantee that the analysis
2 will show that Democrats are far more concentrated
3 than Republicans. The first objection which we
4 just talked about was the failing to account for
5 the fact that wards have different areas. And in
6 the geography literature it is unanimously agreed,
7 I would say, that you have to be cognizant of
8 different geographic. The areas of different
9 aggregations, that you can't simply do an analysis
10 of an area -- of a region with different areas
11 because you will not get reliable results.
12 The objection for the use of the mean and the
13 median is that Trende doesn't provide any real
14 justification for why he uses the median as
15 opposed to the mean; both of which are measures of
16 central tendency. Trende argues that he uses the
17 median to avoid having outside -- outlying areas
18 have disproportionate influence, and he uses the
19 example of Menominee County. And on its face that
20 doesn't make any sense because when you say an
21 area is outlying, you have to describe it as
22 outlying in terms of what. Menominee County is
23 not an outlying area if we're talking about
24 Appleton. It's an outlying area if we're talking
25 about one of the extreme areas of the state. So

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 42

1 that as a simple empirical statement it's a
2 non sequitur.
3 The bigger problem is that by using the
4 median and the fact that the -- we know that wards
5 that vote Democratic are on average about -- are
6 smaller than wards that vote Republican. If we
7 look at the -- and that will have significant
8 effect on any calculations of distance because,
9 all other things being equal, two larger wards,
10 their centroids will be farther apart than two
11 small wards. And so that's one source of bias
12 that's already in his analysis.
13 The second problem is that in using the
14 median rather than the mean, what I show in
15 Table A is that the average -- the mean Republican
16 ward is a little less than twice as large as the
17 mean Democratic ward, 10.96 as opposed to 5.91.
18 The median ward, Republican ward, is almost seven
19 times as large, or six times as large as the
20 median Democratic ward, 0.56 square miles as
21 opposed to 3.45 square miles for the median
22 Republican ward. So not only is he introducing a
23 crippling bias by failing to control for ward
24 area; the fact that he uses the median rather than
25 the mean simply compounds that to produce a result

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 43

1 that simply guarantees that he is going to find
2 that Republican wards are farther apart than
3 Democratic wards.
4 I mean, this is an analysis that would simply
5 not be taken seriously by anybody who was familiar
6 with the literature.
7 **Q Because Democratic wards just are smaller to begin
8 with?**
9 A No. It doesn't have anything to do with
10 Democratic and Republican wards. It has to do
11 with the fact that he's failing to control for
12 crucial variables that he needed to control for.
13 **Q Why do you need to control for them?**
14 A Because if you are looking at distances and
15 distances between geographic areas, you need to
16 account for the fact that those areas might be of
17 different size.
18 **Q Now, compactness is a factor in districting;
19 right?**
20 A It is a traditional redistricting principle.
21 **Q Is there an adjustment or control done for
22 compactness?**
23 A Well, no. Because compactness is size and
24 variant. You can have a small compact district.
25 You can have a large compact district. You can

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 44

1 have a small noncompact district. You can have a
2 small -- a large noncompact district. Compactness
3 is not a measure of distance. Compactness is
4 essentially a measure of area ratios. So the
5 physical size of a district is not comparable to
6 accounting for the physical size of wards because
7 we're not interested in the distances between two
8 districts. Compactness is a measure of their
9 shape.
10 **Q Are highly Democratic wards likely to be close to
11 each other? Do you dispute that?**
12 A On a statewide basis, what I will say is that
13 accepted metrics of geographic concentration show
14 that Democrats and Republicans are clustered in
15 roughly equal measure.
16 **Q And do you have an opinion how easily it would be
17 to district heavily Democratic districts with --
18 or heavily Democratic wards with
19 Republican-favoring wards?**
20 A Can you say that again?
21 **Q Sure. Is it difficult in Wisconsin to include in
22 a same Assembly district very heavily Democratic
23 wards along with Republican-tilting wards?**
24 A Well, let me -- I'm going to ask you to be more
25 precise here because are we talking about as a

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 45

1 whole or in some areas? Because I'd ask you to be
2 more precise.

3 **Q How about both. If you think there's a**
4 **difference, you can answer it two different ways.**

5 A So there will be some areas where you -- well, in
6 some areas it would be relatively simpler to
7 maintain partisan homogeneity. In other areas it
8 would not be simple. And I was able to produce
9 quite a number of districts that were balanced.
10 So I don't regard the premise that in some places
11 Democrats are concentrated as a barrier to
12 producing a map that treats Democratic and
13 Republican voters equally.

14 **Q When you say treating them equally, what do you**
15 **mean?**

16 A That's the notion of partisan symmetry. In terms
17 of redistricting, the idea is that Democrats and
18 Republicans have an equal opportunity to see their
19 votes translated into seats. They're treated
20 equally in that regard.

21 **Q And that's on a statewide basis?**

22 A Correct.

23 **Q And that might require, you know, some Democrats**
24 **get districted in a district with Republicans**
25 **where they would lose and then where they wouldn't**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 46

1 **be able to vote for a Democratic candidate for**
2 **themselves?**

3 A Are you saying they wouldn't have an opportunity
4 to vote for a Democratic candidate?

5 **Q No. I mean, the important key here is the**
6 **statewide impact, correct, on the legislature?**

7 A That's what the efficiency gap measures. It's a
8 statewide measure.

9 **Q But district by district, that may result in**
10 **different decisions being made about districting**
11 **certain people in and out of districts where, for**
12 **example, a Democratic voter might have to be**
13 **placed in a district that would vote for**
14 **Republicans in order to achieve a greater**
15 **statewide balance?**

16 A As an empirical matter, in drawing districts,
17 there's no guarantee that you're going to be
18 placed into a district that will always vote for
19 the candidate that you like.

20 **Q And let's go to figure B. As I understand it, so**
21 **the dotted lines are the median nearest neighbors,**
22 **and the solid lines are the mean nearest**
23 **neighbors; is that correct?**

24 A That's correct.

25 **Q And the red lines represent Republicans and the**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 47

1 **blue lines represent Democrats; is that correct?**

2 A That's correct.

3 **Q So when you redid it with the medians, you still**
4 **found that Democratic wards are closer together**
5 **than Republicans?**

6 A Let me correct you. I didn't redo it with the
7 medians. I redid it with the means.

8 **Q You found with the means the Democratic wards were**
9 **still closer together than the Republican wards?**

10 A That's true. But that could well be because of
11 the fact the Democratic wards tend to be smaller.
12 We're dealing with a measure of distance here.

13 **Q And the -- I mean, in comparing the two sets of**
14 **lines so that the two dotted lines compare to each**
15 **other and then the two solid lines compare to each**
16 **other, is there anything about those shapes of**
17 **those lines that you have an opinion on about**
18 **changing from the median to the mean that results**
19 **in a change in analysis?**

20 A Sure. There are two differences here. The dotted
21 lines, which is simply a replication of Trende's
22 median analysis, is the basis for his opinion that
23 as Democratic wards become more Democratic they
24 get closer together, and as Republican wards
25 become more Republican they move farther apart.

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 48

1 And that's the sort of hockey stick on the left
2 side of the graph for Republican wards. I've
3 noted my objections to both the foundation of this
4 analysis and the fact that he used means rather
5 than medians -- or medians rather than means. And
6 when I redid the analysis with the means, the
7 shapes of the line are essentially completely
8 parallel. These are the same shapes that it shows
9 for both Republicans and Democratic wards. As
10 wards become more Republican and more Democratic
11 they get farther apart in terms of their mean
12 distance.

13 The fact that Republican wards, the distances
14 between Republican wards of the same partisan lean
15 are farther apart than Democratic wards of the
16 same partisan lean I take as a function of the
17 differences in ward areas. And again you can see
18 the effect that the distance between Republican
19 wards is about -- it's not quite two, maybe one
20 and a half times larger than the mean Democratic
21 distance. In terms of the median we're talking
22 about a difference of about three. So both the
23 pattern or the relationship between partisanship
24 and distance becomes the same. The relative
25 difference between the distances between

<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 49</p> <p>1 Republican wards and Democratic wards also 2 shrinks. And so I took this as yet another 3 indicator that what Mr. Trende did was not a 4 reliable methodology that provides useful 5 information about the geographic clustering of 6 Democrats and Republicans. 7 Q Let's move on to Section B which is Goedert's 8 report. Why don't you explain your criticism of 9 Goedert's use of the wards and you reference the 10 modified areal unit problem. Why don't you 11 explain that criticism you made. 12 A So where are we? 13 Q Sure. On page 11, it's like the third paragraph 14 under Section B. 15 A So we're talking about Goedert's analysis of the 16 uniform swing? 17 Q Yes, in the wards. 18 A So like Trende, Goedert makes an argument that 19 Democrats are clustered and Republicans are 20 distributed in a way that's favorable for 21 redistricting purposes. He didn't actually 22 conduct any analysis that demonstrates that, but 23 the example that he gives or the data that he uses 24 is an attempt to show that in a tied election -- 25 so he took the 2012 ward level vote for the</p>	<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 51</p> <p>1 aggregate those wards into the Act 43 districts, 2 you see a very different pattern, in that in a 3 50 percent election Democrats-only win, what is 4 the -- I don't know if I actually calculated how 5 many -- so what this shows is once you aggregate 6 the wards into districts, the pattern completely 7 changes. That you have the average, the mode, the 8 skew, it changes. And this is a classic example 9 of what geographers call the modified areal unit 10 problem, which is that when you are dealing with 11 different levels of geography, inferences that you 12 draw at one level, in this case the wards, can 13 often be very different when you aggregate those 14 lower levels of geography into larger levels of 15 geography as in districts. 16 And my argument here is that the ward-level 17 analysis in terms of what Goedert did, that 18 focusing on the wards is the wrong unit of 19 analysis. You need to focus on the districts. 20 And this is in fact precisely the pattern that you 21 see in Act 43, which is you take a large number of 22 Democratic votes and you aggregate them in a way 23 that provides significant advantage to 24 Republicans, so that in a 50 percent tied 25 election, Democrats -- the mean Democratic vote,</p>
<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 50</p> <p>1 president, and subtracted the 3.5 percent, he 2 conducted a uniform swing analysis. So in 2012, 3 the Democratic vote for president statewide was 4 53.5 percent. And so in doing the uniform swing 5 he subtracted that 3.5 percent from every ward. 6 And then he did a histogram. And that's the red 7 line in figure C. It's not really -- it's a 8 kernel density graph, which is essentially a 9 smooth histogram. And what Goedert argues is that 10 this distribution of wards -- he argues -- I think 11 the exact term that he uses is that in a tied 12 election Republicans would win 60.2 percent of 13 wards in a tied election. And that's the basis 14 for his -- the shape of that graph. 15 So this graph, as you move right on this 16 graph, wards become more Democratic. So anything 17 below 50 percent is a ward that the Republicans 18 would win in a tied election under this uniform 19 swing analysis. 20 My objection to this is that elections are 21 not determined at the ward level. Elections occur 22 in wards that are aggregated into districts. And 23 if you actually do the analysis at the district 24 level, so you do his uniform swing analysis and 25 use his results at the ward level and then</p>	<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 52</p> <p>1 or the mode -- modal Democratic vote would be 2 about 40 percent at the district level. 3 Q And do you dispute that that's an identical result 4 of what we saw under the 2000's core drawn plan? 5 A I haven't done the analysis. I can't say. 6 Q Now, you would agree that whoever is doing the 7 districting is taking wards and then aggregating 8 them into districts; that's correct? 9 A That's actually not what occurred in 2011. That 10 had been the normal practice where the 11 municipalities and counties would draw their wards 12 and then those would be aggregated into districts. 13 In 2011 and 2012, the pattern was that the 14 districts were drawn first and then the wards were 15 required to conform to the district lines. So 16 that's not how it happened in 2012. 17 Q But when you drew your demonstration plan, did you 18 select particular wards and then place them in 19 your districts? 20 A I did not. 21 Q Okay. How did you do it then? 22 A I built my districts using essentially census 23 blocks. 24 Q Eventually did you then use wards that go into 25 your districts?</p>

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 53

1 A I did not.

2 **Q So is it possible that your districts don't line**

3 **up with the wards that are in --**

4 A In my demonstration plan?

5 **Q Correct.**

6 A I imagine they don't.

7 **Q Now, are you offering an opinion on -- we see the**

8 **red line, the distribution of wards -- how that**

9 **should then translate into a distribution of**

10 **districts?**

11 A No. This was a critique of Goedert's argument.

12 What I was trying to show, that the assertion that

13 he makes that -- this is part of his argument that

14 Republicans have a favorable geographic

15 distribution around the state that produces a

16 natural pro-Republican gerrymander. And this is a

17 criticism of his analysis to say that this

18 actually doesn't give you useful information that

19 allows you to make reliable inferences about

20 geographic concentration. I'm not -- this is not

21 something that I used in terms of making my own

22 criticisms of Act 43. This is a criticism of

23 Goedert's analysis.

24 **Q So are you -- and I take it your red line -- does**

25 **your red line track when Goedert's analysis was?**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 54

1 A I attempted to replicate what he did, which is his

2 ward-level analysis weighted by population in a

3 tied election.

4 **MR. STRAUSS:** Just to be clear,

5 you're talking about the red line in Figure C

6 on page 12 of Professor Mayer's report?

7 **MR. KEENAN:** Correct.

8 **MR. STRAUSS:** Okay.

9 **Q Are you disputing that Goedert accurately**

10 **calculated both the number of wards or the share**

11 **of population in the population he did in his**

12 **report?**

13 A Can I look at the report?

14 **Q Sure. I have a copy here. It was marked as**

15 **Exhibit 17. And I believe it's on page 22.**

16 A So I will profess to being agnostic as to whether

17 Dr. Goedert did this analysis accurately. My

18 view, it doesn't matter. Even if he did it

19 accurately, it doesn't provide you with any

20 reliable means for making inferences about the

21 geographic distributions of partisans in

22 Wisconsin.

23 **Q Okay. Even the fact that there's more Democrats**

24 **in wards with 80 percent or greater Democratic**

25 **support than there are Republicans in wards with**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 55

1 **80 percent or greater Republican support?**

2 A Again, the point of Goedert's analysis is he's

3 trying to make the argument that Democrats are

4 more concentrated than Republicans. The way that

5 he did that analysis doesn't show what he says it

6 shows. In fact, I demonstrated that using

7 reliable methods of measuring geographic

8 concentration in isolation, they show that

9 Democrats and Republicans are concentrated and

10 clustered in the state in roughly equal measure.

11 So my overall argument is that both Goedert

12 and Trende are simply incorrect in arguing that

13 Democrats are more clustered than Republicans.

14 **Q Let's go to sub-1 about Goedert's published work.**

15 **What's your understanding of what Goedert's model**

16 **was intended to do, the one that you're using in**

17 **this section of your rebuttal report?**

18 A Let me refresh my memory here. So my

19 understanding of what Goedert did in these two

20 articles was to assess the effect of different

21 underlying factors such as gerrymandering, and

22 what he says is urbanization, which in this regard

23 is a proxy for concentration. And in 2014, he

24 found that in states with unified Republican

25 control, which he took as the definition of a

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 56

1 gerrymander, a Republican gerrymander, even after

2 controlling for urbanization, which in this

3 context urbanization is largely considered in this

4 context to be a proxy for Democratic

5 concentration, he finds that even after

6 controlling for urbanization, Republican-drawn

7 maps have a larger pro-Republican bias,

8 significantly larger pro-Republican bias.

9 He then updated that model. The original

10 model was based on 2012 data. He updated his

11 model after 2014. And in 2015, he found that

12 using essentially the same model for congressional

13 districts, that urbanization no longer has a

14 significant effect on the bias, which it's not

15 quite the same thing as the efficiency gap, but

16 it's the same -- more or less the same idea. And

17 so I used this to point out that his own work

18 comes to different conclusions about the fact of

19 urbanization, sometimes it matters, sometimes it

20 doesn't. But that even when you take urbanization

21 into account, using that as a control variable in

22 his regression model, he still finds that

23 pro-Republican gerrymanders produce significant

24 pro-Republican bias. So that's my understanding

25 of -- and my interpretation of what he did.

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 57

1 Q And then that was directed at congressional seats;
2 correct?
3 A Correct.
4 Q It wasn't state legislative seats?
5 A Correct.
6 Q Now, do you understand that he also found that
7 Democrats did not achieve the advantage one would
8 expect from controlling the districting process
9 and that they underperformed what one would expect
10 in terms of getting seats?
11 A I would have to go look at the report. I don't
12 recall that off the top of my head.
13 Q I have both of these here. They were previously
14 marked as Exhibit 20 and 21. Exhibit 20 is his
15 2012 article.
16 A Actually it's 2014, I think.
17 Q And then 21 is the 2014 -- or I mean, it may have
18 been published in 2014, but it was about the 2012
19 elections.
20 A I have 20. I don't have 21.
21 Q It's right here.
22 A Oh, here we go.
23 MR. STRAUSS: And what's the
24 pending question?
25 Q So if you can turn to the first page of text --

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 58

1 MR. STRAUSS: In which exhibit?
2 MR. KEENAN: 20.
3 MR. STRAUSS: Okay.
4 Q And if you go to the third paragraph in the text,
5 it says, "However, the problem for Democrats might
6 actually be more fundamental. The current
7 geographic distribution of partisans now leaves
8 Democrats at a disadvantage as long as
9 congressional representation is based on
10 contiguous geographic districts. It is
11 unsurprising that Republicans won more than their
12 fair share of seats where they drew the maps.
13 However, Democrats also underperformed in their
14 bipartisan maps and gained only small advantages
15 from their own maps, suggesting the main issue is
16 not gerrymandering but districting itself."
17 Are you saying that that conclusion is wrong?
18 A I'm saying that that conclusion doesn't
19 necessarily apply to Wisconsin because, again,
20 when you are looking at actual measures of
21 geographic concentration in isolation in
22 Wisconsin, you find that the partisans, Democrats
23 and Republicans, are concentrated and isolated in
24 roughly equal measure. And I don't know that I
25 would draw that inference as he did it.

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 59

1 Q And then you go on to use his model and plug in
2 Wisconsin's information in that; correct?
3 A Correct.
4 Q Now, are you offering an opinion that the model
5 for the congressional seats applies to the
6 Assembly seats in Wisconsin?
7 A Not in this context, no.
8 Q So then what is your opinion -- what inference can
9 we draw about Goedert's model for the 2012
10 congressional elections in using Wisconsin's data?
11 A My inference is that when you take his actual
12 model that he developed and you apply it to
13 Wisconsin, you get an anomalous result. If you
14 take the values of the independent variables as
15 they exist in Wisconsin and you generate the
16 predicted bias using that model in Wisconsin, his
17 model predicts that you would get a pro-Democratic
18 bias. And so I take this as an indication that
19 his model does not provide much of a foothold in
20 explaining or supporting the assertion that there
21 is a pro-Republican natural geographic bias in
22 Wisconsin.
23 Q And do you think that would apply to the Assembly
24 districts?
25 A I would have to -- I would have to do the

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 60

1 analysis. But again, my position is that this
2 method that he uses does not give you reliable
3 information about the scope of geographic
4 concentration in Wisconsin. And there are
5 accepted ways of doing that analysis which I did.
6 Q And you understand we're not -- the congressional
7 map here is not under challenge, is it?
8 A I understand.
9 Q And the congressional map has swung between like
10 three, four, and five seats for each party over
11 the last 15 years or so; is that correct?
12 A I don't know.
13 Q So is there anything at all we can take from a
14 model that is designed to describe how districts
15 of 700,000 people might perform and compare it to
16 99 districts of about 57,000 people would perform?
17 A Well, no. But the reason I cited this article is
18 to say that Goedert's argument about geographic
19 concentration is actually not consistent with his
20 own work.
21 Q But how is it inconsistent when he's talking in
22 one instance about Assembly seats and then another
23 about congressional districts?
24 A Well, it is that when he has looked at
25 gerrymandering, that the effect of urbanization --

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 61

1 it's an argument by analogy. And again, I'm not
 2 using this as a way -- it forms no part of my
 3 analysis that there is or is not geographic
 4 clustering in Wisconsin. It's simply a criticism
 5 of Goedert who is simply asserting, in the absence
 6 of any actual reliable evidence, that there is a
 7 pro-Republican geographic bias. The argument is
 8 that this is an argument that is inconsistent with
 9 what he has made in other contexts.

10 **Q I still don't see how it's inconsistent, though,**
 11 **if one method is dealing with congressional seats**
 12 **and the other method is dealing with Assembly**
 13 **seats, but -- then you also perform an analysis**
 14 **using Goedert's regression model and putting in**
 15 **the information for a state resembling the**
 16 **United States as a whole. Do you recall that?**

17 A Yes.

18 **Q What are we supposed to take from that analysis?**

19 A Again, it's essentially a critique of the argument
 20 as applied to Wisconsin. Because the general
 21 argument is that pro -- the argument is that there
 22 is a pro-Democratic or pro-Republican
 23 concentration or distribution of voters in
 24 Wisconsin. And I am arguing that that's
 25 incorrect.

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 62

1 **Q Now, I'll just say that the state -- hypothetical**
 2 **state you were using -- and I'm on page 15 here --**
 3 **was 13.2 percent black, African-Americans,**
 4 **17.4 percent Hispanic, 80.7 percent urbanized, and**
 5 **51 percent Democratic; is that correct?**

6 A Correct.

7 **Q And Wisconsin does not have those criteria, does**
 8 **it?**

9 A No.

10 **Q And is there any seat in the country that has**
 11 **these -- or any state in the country that meets**
 12 **these demographic numbers?**

13 A No.

14 **Q They are the numbers for the country as a whole,**
 15 **but each state is different in those regards; is**
 16 **that correct?**

17 A That's correct.

18 **Q And then the congressional districts --**
 19 **congressional elections take place on a**
 20 **state-by-state and district-by-district basis?**

21 A That's correct.

22 **Q And is it your understanding that Goedert's model**
 23 **does not apply to smaller states that are fewer --**
 24 **that have like seven or fewer congressional seats?**

25 A I think it's fewer than six, but I'm not sure.

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 63

1 **Q But it doesn't apply to a certain set of smaller**
 2 **states that doesn't have enough congressional**
 3 **districts?**

4 A That's correct.

5 **Q And do you know how many of the 435 congressional**
 6 **seats come from states that aren't included in his**
 7 **model?**

8 A No.

9 **MR. KEENAN:** You know, we haven't
 10 been going quite an hour, but we're moving
 11 into the isolation and index, so I think it
 12 might be a good time to just take a break.

13 **MR. STRAUSS:** That's fine.
 14 (Recess)

15 **MR. KEENAN:** We're back on the
 16 record.
 17 By Mr. Keenan:

18 **Q Mr. Mayer, we've talked a little bit before about**
 19 **your measures of geographic concentration, so now**
 20 **we're going to get to your report where you get**
 21 **into those. And then on page 16 of your report,**
 22 **why don't I just have you explain what**
 23 **Global Moran's I is.**

24 A Global Moran's I is a measure of spatial auto
 25 correlation. It measures the degree to which the

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 64

1 variants or the values that a variable takes in a
 2 point in space correlates with values that that
 3 variable takes in adjacent space. And it is
 4 the -- a number which captures the average spatial
 5 auto correlation at any unit of geography; in this
 6 case the ward level.

7 **Q Prior to your work on this case, had you been**
 8 **aware of the Global Moran's I test?**

9 A I was.

10 **Q And had you ever performed a Global Moran's I**
 11 **analysis on any geographic area?**

12 A No.

13 **Q And how were you aware of this particular measure**
 14 **of concentration?**

15 A Many years ago, I had done -- in my own research
 16 done work on the geographic distribution of
 17 defense contracts. And in the course of doing
 18 that work, one of the issues that arises is
 19 looking at different measures of spatial
 20 association. So that's the first time that I had
 21 come across it.

22 **Q And prior to your work on this case, had you ever**
 23 **seen it applied to any sort of analysis of**
 24 **political partisans in a geographic area?**

25 A Yes.

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 65

1 **Q What was that?**
 2 A That was the article on -- by Joey Chen and
 3 Jonathan Rodden which they talk about -- it's
 4 their automated redistricting program. And they
 5 talk about Moran's I is an issue of -- a measure
 6 of concentration or -- more properly it's a
 7 measure of spatial association.
 8 **Q And then other than the Chen and Rodden article,**
 9 **have you seen it applied to political partisans at**
 10 **all?**
 11 A No.
 12 **Q Now to the time period of working on this case,**
 13 **are there other instances now that you're aware of**
 14 **where the Global Moran's I has been applied to**
 15 **analyze political partisans?**
 16 A I've seen it used in studies of patterns of
 17 campaign contributions which is analogous to
 18 partisans. But again, it's a generalized measure
 19 of spatial association that can apply to any
 20 underlying measure.
 21 **Q And have you seen any analysis where**
 22 **Global Moran's I scores are generated and then**
 23 **used to determine how the spatial correlation**
 24 **should translate into legislative seats?**
 25 A I think Chen and Rodden might have done that, but

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 66

1 I would want to take the -- I'd want to take a
 2 look at that article to be sure.
 3 **Q And other than that, have you ever seen anything**
 4 **like that in the literature?**
 5 A Not that I can recall.
 6 **Q And then let's just focus on the Isolation Index**
 7 **then. What is the Isolation Index?**
 8 A The Isolation Index is a measure of exposure, and
 9 it measures the likelihood that a member of a
 10 particular group will be exposed to other members
 11 of that group in a particular geographic area. As
 12 it is calculated, it is the weighted average of
 13 the percentage of whatever group we are examining
 14 in whatever subunit of geography or we are
 15 examining on a larger aggregation. So in this
 16 context it's the percentage of Democrats and
 17 Republicans, the average percentage weighted by
 18 population or the total population of Republicans
 19 and Democrats.
 20 **Q Now I'll get into the specifics of how you did it**
 21 **in this case for each of them. But just kind of**
 22 **on a general level, prior to your work on this**
 23 **case had you been aware of the Isolation Index?**
 24 A No.
 25 **Q How did you become aware of the Isolation Index?**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 67

1 A I was sent an email by counsel suggesting that I
 2 take a look at it.
 3 **MR. KEENAN:** Why don't we just mark
 4 this as 65.
 5 (Exhibit No. 65 marked for
 6 identification)
 7 **Q Could you identify what Exhibit 65 is?**
 8 A This is an email to me from Nick Stephanopolous
 9 offering some sources that I might consult as I
 10 did a spatial clustering analysis.
 11 **Q And you had previously mentioned an email from**
 12 **counsel about possible sources. Is Exhibit 65 the**
 13 **email that you were referring to?**
 14 A Yes, it is.
 15 **Q So I take it that on December 8th, 2015, was the**
 16 **first time you had heard of the Isolation Index?**
 17 A I think that's correct.
 18 **Q And I also take it that because you first became**
 19 **aware of this measure in this case, that prior to**
 20 **your attention in this case you had never**
 21 **performed an Isolation Index calculation before?**
 22 A That's correct.
 23 **Q Your report references -- I guess maybe I should**
 24 **just ask you. What sources in academic literature**
 25 **have used the Isolation Index to measure the**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 68

1 **segregation of political partisans?**
 2 A Sitting here, I can't think of any, but I would
 3 say it doesn't matter because this is a
 4 generalizable metric that can apply to any
 5 population. It's used in a huge variety of
 6 contexts that is not limited to demographics. So
 7 my experience as a social scientist in the
 8 literature review that I conducted led me to the
 9 conclusion that this was a reliable method for
 10 assessing the degree of concentration of
 11 partisans.
 12 **Q And I note in your report on page 16 it says it**
 13 **has been used to assess political geography by a**
 14 **Glaeser and Ward article? I'm in the second to**
 15 **last paragraph. It's the end of that paragraph.**
 16 A Let me look at that. So that's right. It was
 17 used in that study. I was mistaken.
 18 **Q Although you were not aware of that study until**
 19 **you were retained to work on this case; is that**
 20 **correct?**
 21 A I think that's correct.
 22 (Exhibit 66 marked for
 23 identification)
 24 **Q I show you Exhibit 66 and then ask you to identify**
 25 **it.**

<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 69</p> <p>1 A This is the article, paper, "Myths and Realities 2 of American Political Geography." 3 Q And this is the same document that's referenced on 4 page 16 of your report? 5 A Yes. 6 Q Who's Edward Glaeser? 7 A I'm not personally familiar with him, but the 8 indication is that he is on the faculty at 9 Harvard. And NBER stands for National Bureau of 10 Economic Research. 11 Q So you don't know whether he's a political 12 scientist or not? 13 A I don't. 14 Q And do you know if this article has been published 15 in any journal? 16 A I do not. 17 Q Do you know if it was peer-reviewed at all? 18 A I do not. 19 Q And I marked the exhibit. It's docket 593 which 20 was filed attached to a declaration you filed in 21 this case. Do you recall that? 22 MR. STRAUSS: I'm sorry, what's the 23 question? 24 Q Do you recall filing a declaration in this case 25 with the court -- or an affidavit?</p>	<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 71</p> <p>1 own underlying partisan baseline data, although I 2 might have used the Republican -- I used the 3 presidential data, and that formed the inputs into 4 this. 5 Q So there was an LTSB file that showed the 6 geographic location of each ward; is that correct? 7 A Well, it doesn't show the location, but it's a 8 shape file that if you import it into a GIS 9 program, it will give you a map of the state and 10 show you the boundaries of each ward in the state. 11 Q Okay. Sort of what I was thinking, but I phrased 12 it poorly, so thanks for the clarification. 13 And then obviously this analysis also 14 required knowing which wards were Democratic or 15 Republican; correct? 16 A That's incorrect. It doesn't matter what -- 17 whether a ward is Democratic or Republican. What 18 matters is the percentage of people in the ward 19 who are Democratic or Republican. 20 Q It does require knowledge of that fact, though, 21 for each ward; correct? 22 A Correct. 23 Q And then how did you provide that information to 24 the R module? 25 A So there's -- there are several commands. I'd</p>
<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 70</p> <p>1 A I probably filed several. I don't know what 2 specifically you're referring to. 3 Q Okay. Do you recall filing one that was filed on 4 January 22, '16? 5 A I don't remember. 6 Q I guess we'll take this in pieces for 7 Global Moran's I. Why don't you explain how you 8 calculated that specifically in this case. 9 A So there is a module in R that I think it was 10 developed by a political geographer. I think his 11 name was Roger Bevins. And it accepts as input a 12 shape file, allows the user to specify how it 13 calculates it, how it treats adjacent areas, and 14 then does the calculation. 15 Q You say a shape file. What is that? 16 A A shape file is a standard GIS, or geographic 17 information system, file that captures the spatial 18 attributes of a particular unit of geography and 19 also incorporates underlying data for that 20 geography. 21 Q And then what was the specific shape file you put 22 into this R module? 23 A It was a shape file of wards that was created by 24 the Legislative Technology Services Bureau of the 25 2012 wards. And I believe that I had attached my</p>	<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 72</p> <p>1 have to go back and look at the code that you 2 input the -- the first step is you tell R what the 3 shape file is that you're looking at. There's a 4 secondary command that tells R -- tells the 5 program how you want to treat the adjacent wards. 6 And it basically allows you -- the way that I did 7 it is specify that it was only the adjacent wards 8 that counted and specify that those wards had to 9 be more than point contiguous. They had to have a 10 shared boundary, that they couldn't just be -- you 11 know, if you had two squares that were connected 12 just at a vertex, that wouldn't count. They would 13 have to share a side. And so it measures the 14 spatial correlation for each ward with all of the 15 adjacent wards. 16 Q And then how does the R program know that ward -- 17 the relevant ward it's looking at is a ward with 18 more Democratic voters or more Republican voters? 19 A It doesn't know. It uses -- the underlying data 20 in the shape file tell it how many Democratic 21 voters and how many Republican voters are in each 22 unit of geographic space. 23 Q So in the shape file, what data was used to show 24 partisanship? 25 A As I said, I think it was my partisan baseline,</p>

<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 73</p> <p>1 but I don't remember. It might have been the 2 actual presidential vote. I'm not sure.</p> <p>3 Q And for 2012, that would have been the 2012 4 presidential vote?</p> <p>5 A Correct.</p> <p>6 Q And then would that have been a two-party vote 7 share?</p> <p>8 A It would have been just the two parties.</p> <p>9 Q And then you also did analysis for the 2014 10 election. Do you know what the partisanship of 11 the ward, how that was determined for the 2014 12 analysis?</p> <p>13 A That, I used the gubernatorial election.</p> <p>14 Q And so that would be just the two-party vote share 15 for Walker and Burke in the 2014 governor's 16 election?</p> <p>17 A Correct. Although -- it wouldn't make any 18 difference if you used the share or the actual 19 numbers, so it is the vote share.</p> <p>20 Q And then you've talked about how then -- and just 21 to be clear, this is the first time you had run 22 this particular R module?</p> <p>23 A That's correct.</p> <p>24 Q And this is the module that's listed in footnote 25 11 on page 17 of your report?</p>	<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 75</p> <p>1 A It's essentially analyzing the correlation of that 2 number with the percent Democratic and the 3 adjacent wards.</p> <p>4 Q And then is there a value created for each 5 individual ward?</p> <p>6 A There is.</p> <p>7 Q And then is that then -- what happens with, like, 8 each individual calculation? Is there an average?</p> <p>9 A It's an average.</p> <p>10 Q Okay. And so does -- I'm just looking at the 11 Table D on page 18. And so there's a call for 12 Democrats and Republicans. Did you have to run 13 separate analyses for each party?</p> <p>14 A Yes. Both the Global Moran's I and the 15 Isolation Index are asymmetrical, so you have to 16 run it for each individual group that you're 17 looking at.</p> <p>18 Q And every ward in Wisconsin would have fallen into 19 one of the buckets or the other?</p> <p>20 A Well, it's not a bucket because you run -- so you 21 run on the first pass, you're looking at the 22 Democratic concentration in each ward. Then you 23 run it again with the Republican concentration in 24 each ward.</p> <p>25 Q So every ward is analyzed under each analysis?</p>
<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 74</p> <p>1 A Yes. Spatial dependent -- yes.</p> <p>2 Q So I'm just trying to understand how then this 3 works. The R module looks at a ward, and then 4 what does it do about the neighboring wards?</p> <p>5 A So you're getting into the guts of the program. 6 The way that it works is that part of the 7 underlying data is both the boundaries of each 8 shape and the location of each shape. And so it 9 is able -- the underlying GIS data provides 10 information that essentially tells the programmer 11 or -- that the program uses to determine what are 12 the adjacent wards, what are the values of the 13 variable in the adjacent wards, and how the -- 14 essentially how those values correlate, how 15 those -- how the variation in those values 16 correlate across the state. But I did not write 17 the program. I don't know specifically what the 18 precise steps are. But R is a universally used 19 open-source software program.</p> <p>20 Q And I'm just trying to understand, though, the 21 conceptual frame for how this is calculated -- so 22 like I have a ward X, and then the program of, you 23 know, 52 percent Republican, and then what is it 24 analyzing about the partisanship of the adjacent 25 wards?</p>	<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 76</p> <p>1 A Correct.</p> <p>2 Q So sorry if this is just basic stuff.</p> <p>3 A No, no.</p> <p>4 Q I'm not understanding. So like a ward that has 5 hypothetically 60 percent Democrat and then 6 40 percent Republican, on the Democratic side it 7 gets analyzed once, and then on the Republican 8 side that same ward also gets analyzed with its 9 neighbors?</p> <p>10 A Correct.</p> <p>11 Q And the neighbors are going to be the same in each 12 analysis; correct?</p> <p>13 A No.</p> <p>14 Q Aren't they just inverses of each other?</p> <p>15 A No.</p> <p>16 Q Why is that?</p> <p>17 A Because they don't -- you are looking at Democrats 18 to Democrats and Republicans to Republicans, and 19 those will not correlate perfectly.</p> <p>20 Q Now, if we're using just two-party vote share, I'm 21 trying to understand why that wouldn't quite work, 22 you know, if it's 60 percent on one hand and then 23 40 percent on the other.</p> <p>24 A I imagine because it's probably nonlinear. It's 25 doing it when you're at 60 and doing it when</p>

<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 77</p> <p>1 you're at 40 probably gives you different values, 2 although I haven't actually gone through and 3 worked through the math.</p> <p>4 Q And so what does the -- looking at Table D, the 5 .75 for the Democrats in 2014, what does that 6 number mean?</p> <p>7 A So that number is equivalent to a correlation 8 coefficient which ranges between zero to 1. A 9 number of zeros would mean that there is no auto 10 correlation, that there is basically no 11 relationship between being a Democrat in ward I 12 and being a Democrat in adjacent wards. So you 13 basically get random distribution. And as that 14 number goes towards one, it means that Democrats 15 and Republicans -- a Republican in ward I is 16 likely to live in a ward that is surrounded -- 17 that is adjacent to other Republican wards. So as 18 that number goes towards one, it's a measure of 19 how likely a Republican ward or a Republican 20 living in a ward is likely to be living next to an 21 equivalent Republican ward. And because the 22 numbers are very similar, that is an indication 23 that Republicans and Democrats are distributed in 24 roughly equal measure as determined by the wards 25 compared to adjacent wards.</p>	<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 79</p> <p>1 files. In Stata the command files are called ADO 2 files, .ADO. And the Isolation Index is 3 essentially a -- the formula is essentially how 4 likely a Republican in a ward -- so the 5 Isolation Index is nonspatial, so you don't need 6 to know where things are in space. All you need 7 to do is know what the values are in each record. 8 And it calculates the likelihood that a Republican 9 in a given ward lives -- the probability that a 10 Republican in a ward lives next to another 11 Republican or at the ward level.</p> <p>12 So the Moran's I is a measure of spatial auto 13 correlation between wards. The Isolation Index is 14 a measure of geographic concentration within a 15 ward.</p> <p>16 Q And you mentioned a Stata module. And I see is 17 that also listed on page 17, footnote 11 of your 18 report?</p> <p>19 A Correct.</p> <p>20 Q How did you become aware of that Stata module? 21 A Counsel made me aware of it.</p> <p>22 Q And the R module, how did you become aware of the 23 R module that is used to calculate 24 Global Moran's I?</p> <p>25 A I believe I found that myself. I'm not sure. I</p>
<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 78</p> <p>1 Q And the correlation is that -- does that relate to 2 the strength of the partisanship of the ward? 3 It's not just that a Republican ward happens to be 4 next to another Republican ward? It's like a 5 75 percent Republican ward is next to a 6 74 percent?</p> <p>7 A Correct. It's not even a measure of Republican 8 strength or Democratic strength. It's a measure 9 of how those values co-vary. So in a ward with 10 high Republican percentage, does that tend to 11 exist in areas where the adjacent wards are also 12 highly Republican? And as that is true, the 13 number will go towards one.</p> <p>14 Q And you only did this for 2014 and 2012. Why did 15 you pick just those two years?</p> <p>16 A Because I was not able to get shape files from -- 17 I recall that I had difficulty finding the shape 18 files from 2008. I had a tougher time finding the 19 data.</p> <p>20 Q Going backwards a little bit, we'll go to the 21 Isolation Index which is talked about on page 17. 22 How did you calculate the Isolation Index 23 specifically in this case?</p> <p>24 A There is a -- it's a module. The nomenclature is 25 slightly different. In R they're just called R</p>	<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 80</p> <p>1 think I was able to find that. The R modules are 2 found in a general area that's open source. And 3 so you go there and you can search for -- I mean, 4 there are thousands and thousands of them.</p> <p>5 Q And then -- so getting back to the 6 Isolation Index, how did you do the -- how did you 7 do the Stata module? Like what data did you input 8 into it?</p> <p>9 A So the Stata module just looks at the data, the 10 ward-level data on the number of Democrats and 11 Republicans. Again, I believe it was using a 12 baseline open-seat partisanship model. But I 13 might have used the -- no. So if you look at the 14 top of 17, just refreshing my memory, I used the 15 actual Assembly votes to get an accurate measure 16 of what actually happened.</p> <p>17 Q So that applies to the prior testimony with the 18 Global Moran's I as well?</p> <p>19 A Correct. I misspoke.</p> <p>20 Q So actual Assembly votes for these -- in using -- 21 for both of these, did you account for uncontested 22 racess?</p> <p>23 A No. I believe it was just the raw data.</p> <p>24 Q Do you have any opinion on -- in some instances 25 you do make adjustments for uncontested races and</p>

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 81

1 **adjusting the vote share to reflect what a**
2 **partisan candidate might expect to receive in that**
3 **district had it been contested. Do you have an**
4 **opinion on how not doing that for these clustering**
5 **analyses would have affected your numbers?**
6 A I suspect they would actually be lower for both
7 Democrats and Republicans, but I'm not sure.
8 Q **Because it would make wards in which there was an**
9 **uncontested race seem either more Republican or**
10 **more Democrat?**
11 A Well, it would tend to drive -- when an
12 uncontested race goes to a contested race, it
13 drives both percentages towards 50 percent.
14 Q **And then how does the Stata module then take those**
15 **Assembly votes in each ward and come up with an**
16 **Isolation Index?**
17 A Well, the calculation is actually fairly simple.
18 It calculates the percentage for the Democrats.
19 It calculates the percentage Democrat --
20 Democratic vote in each ward and then weights that
21 quantity by that ward's percentage -- or the
22 fraction of the total Democratic population found
23 in that ward.
24 So the easiest way to describe it is it is
25 the weighted average of the Democratic vote

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 82

1 percentage in each ward, and for the Republican
2 equivalent it's the weighted average of the
3 Republican vote in each ward.
4 Q **And for these, is the vote percentage done across**
5 **all wards for both parties like it was done in the**
6 **Global Moran's, or are we just looking at over**
7 **50 percent Democratic wards?**
8 A No. It's all wards.
9 Q **And when you say "weighted average," I know that's**
10 **probably easy for you, but I'm trying to figure**
11 **out like how it's weighted. How is the share of**
12 **that ward's total vote figured into a weighting?**
13 A Well, essentially it means that an individual
14 ward's contribution to the overall average is
15 going to be slightly larger -- slightly higher for
16 larger wards. So if you have 10 wards each with
17 equal population, each ward would count one-tenth.
18 But if you had one ward that was twice the
19 population, you would have nine wards making up
20 80 percent and then one larger ward would be
21 20 percent. So it's basically based on the
22 population. The larger the population, the more
23 an individual ward contributes to the average.
24 Q **Is it weighted towards the total Assembly vote in**
25 **the state or the total Assembly vote for one**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 83

1 **party?**
2 A Total Assembly vote for one party.
3 Q **So the Democratic column is weighted towards the**
4 **total Democratic vote in the state and the**
5 **Republican column is weighted towards the total**
6 **Republican vote in the state?**
7 A Correct.
8 Q **And then you did that one back to -- from 2014 to**
9 **2004. Is there a reason you went back to 2004 as**
10 **your beginning point?**
11 A Because the Isolation Index is a spatial, I don't
12 need any GIS. All I need is the ward-level
13 totals. I was able to do that farther back
14 because I didn't need the more complicated data.
15 Q **Was that -- is 2004 as far back as the ward-level**
16 **data went?**
17 A I don't -- I don't recall.
18 Q **And then just to get kind of a -- for my**
19 **layperson's understanding, the .23 that's in the**
20 **2014 Dem-Rep column, first what does Dem-Rep stand**
21 **for?**
22 A So the Isolation Index as I mentioned was a
23 spatial. So the first column is the isolation of
24 Democrats from Republicans. And then the second
25 column is the isolation of Republicans from

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 84

1 Democrats. And again, you need to do it for
2 both -- both parties separately.
3 Q **And so then the .23 number in the Dem-Rep column**
4 **for 2014, what does that mean?**
5 A So that's the overall Isolation Index. And from
6 that I subtracted the statewide vote because other
7 things being equal in a state with more Democrats
8 or Republicans, the relative statewide
9 distribution is going to have an effect on the
10 Isolation Index. If you have a state with
11 90 percent Democrats and 10 percent Republicans,
12 that's going to exaggerate the extent of the
13 isolation of Democrats because there are more of
14 them; and the same thing for the Republicans. So
15 what I did is I subtracted the statewide vote
16 totals so that I could have a baseline that
17 controlled for the overall percentage. And this
18 is a -- the Isolation Index ranges from zero to
19 one.
20 Sorry, can we take a five-minute break?
21 Q **Sure.**
22 **(Recess)**
23 **By Mr. Keenan:**
24 Q **We're back on the record after a short break, and**
25 **we were talking about Table C, the**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 85

1 **Isolation Index. And you had -- you were**
2 **explaining how you had subtracted out a statewide**
3 **vote total to get to the number here. And so**
4 **maybe just building on that, before subtracting**
5 **out the statewide vote total, what type of number**
6 **were you getting? What was that showing?**
7 A My recollection is that those numbers tended to be
8 in the -- in the 65 to 75 percent range. Again,
9 you know, indicating that Democrats and
10 Republicans are concentrated in roughly equal
11 measure on a statewide basis. But I don't
12 remember what they are off the top of my head.
13 Q **And then that would be -- before subtracting any**
14 **state vote total, it would be that a particular**
15 **ward was -- it would be like the weighted average**
16 **Democratic ward was 65 percent? I'm trying to**
17 **figure out what that is.**
18 A Sorry. This is a technique that the census uses
19 again to control for the fact that populations
20 comprise different shares -- or different
21 population groups, subgroups comprise different
22 shares of the population. So it's a way of
23 controlling for the fact that when you have more
24 members of a group, you're going to see, all other
25 things being equal, higher population isolation

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 86

1 in a way that doesn't redound to the advantage of
2 each party. You know, in 2012 the Democratic
3 isolation is marginally higher than the Republican
4 population. But in 2010, which was an
5 overwhelmingly Republican year, the Republican
6 isolation was marginally higher than the
7 Democratic population. So it's just a way of
8 accounting for these differences in the statewide
9 vote or the statewide composition that each group
10 makes.
11 Q **And so -- so the vote share you subtracted, was**
12 **that that party's vote share for the --**
13 A Correct.
14 Q **So the Dem-Rep column there was a number and then**
15 **there was a subtraction of the Democratic vote**
16 **share?**
17 A Right. So if you take 2010 where I believe the
18 Republicans got 52 percent of the statewide vote,
19 that would show that the Republican isolation was
20 69 and the Democratic isolation was 62. So -- it
21 will tend to -- but again that's because there
22 were more Republicans than Democrats, you control
23 for that. It tends to be a more comparable
24 measure across time.
25 Q **And where did you get the vote share information**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 87

1 **that you were using to adjust the number?**
2 A I believe I just added up the vote share data in
3 the individual wards.
4 Q **Okay. So if the -- the actual Assembly vote share**
5 **based on your ward data?**
6 A I believe so.
7 Q **So it wasn't Professor Jackman's numbers which are**
8 **adjusted for uncontested seats?**
9 A I don't believe so. Oh, it definitely would not
10 be, because as a statewide number -- it was the
11 statewide number.
12 Q **And then -- and you were using the percent. So**
13 **like 52 percent you'd subtract .52; is that how it**
14 **worked?**
15 A Correct.
16 Q **And then going back to this .23 now after the**
17 **adjustment, what is that .23 telling us about the**
18 **average Democratic --**
19 A My interpretation is that the -- an average
20 Democrat, sort of a typical Democrat lives in a
21 ward that is 23 percent more Democratic than the
22 state. And a typical Republican lives in a ward
23 that is 20 percent more Republican than the state.
24 Q **When you say average Democrat or average**
25 **Republican, this calculation is actually a**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 88

1 **calculation about each ward; is that correct?**
2 A There are different parts of that question.
3 Q **Sure.**
4 A Can you break them out?
5 Q **So I'm wondering how you can say it's a typical**
6 **Democrat or typical Republican lives in a ward.**
7 A So maybe this is the way to phrase it. On a
8 statewide basis, on average a Democrat will live
9 in a ward that is 23 percent more Democratic than
10 the state, on average. So don't think of it in
11 terms of a notional typical Republican, but on a
12 statewide basis any Republican -- an average
13 Republican will live in a ward that is 20 percent
14 more Republican than the state as a whole. So
15 it's not as if we are looking at sort of a
16 demographically typical Republican or Democrat and
17 figuring out. That's just sort of looking at the
18 state as a whole.
19 Q **Okay. And then if we can just move to the next**
20 **section, we can go a little bit on that and then**
21 **we'll take a break for lunch. So this is**
22 **Roman III. Did you understand Trende was claiming**
23 **that your vote model was biased?**
24 A I did. That was my reading of his criticism.
25 Q **You didn't understand that he was saying that**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 89

1 **Jackman's model might be biased based on the**
 2 **numbers generated from your model?**
 3 A I couldn't say anything about his criticism of
 4 Professor Jackman's model.
 5 **MR. KEENAN:** Why don't we stop
 6 there.
 7 **THE WITNESS:** Okay.
 8 (Recess for lunch)
 9 By Mr. Keenan:
 10 Q We're back from lunch, Mr. Mayer, and we are -- go
 11 back to your report now to section Roman
 12 Numeral V. It's on page 22. And this is a
 13 discussion to Gaddie's Act 43 district-level
 14 estimates. We went over this in your last
 15 deposition, but just to refresh your memory that
 16 you relied on a table that had some percentages
 17 for each of the Assembly districts in terms of
 18 their Republican vote percentage. Do you recall
 19 that?
 20 A Yes.
 21 Q And you've referred to that as the Gaddie
 22 percentages?
 23 A Correct.
 24 Q Okay. And you've assumed that those percentages
 25 were generated using a regression model created by

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 90

1 **Professor Keith Gaddie?**
 2 A Not necessarily.
 3 Q Okay.
 4 A Because Gaddie in his deposition used two
 5 equivalent methods. One of them was a regression
 6 method. Another was a combination of races which
 7 he regarded as equivalent but much easier to
 8 calculate.
 9 Q And would it change any of your opinions if the
 10 numbers that were -- that you used for the Gaddie
 11 Act 43 district estimates were calculated using an
 12 average of all statewide races from 2004 to 2010
 13 rather than a regression model?
 14 A I'm not sure what the exact composition was, but
 15 it was some combination of statewide races and
 16 previous election cycles.
 17 Q But in your report here you reference in
 18 deposition testimony from Professor Gaddie, in the
 19 Baldus deposition, that with -- assuming all seats
 20 were contested, no incumbents would run. Do you
 21 see that?
 22 A Are you referring to that block quote from
 23 Professor Gaddie's deposition? Yes.
 24 Q And that was Professor Gaddie's deposition in the
 25 previous case, the Baldus case; correct?

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 91

1 A Yes.
 2 Q Do you know whether the Act 43 district-level
 3 estimates that you've characterized as Gaddie's
 4 Act 43 estimates are actually generated from the
 5 regression model that's described in this quote on
 6 page 22 of your report?
 7 A I don't.
 8 Q Going to page 23 and then on to 24, there's a
 9 statement that says in the very last sentence on
 10 page 23 that continues on to 24, it says, "Either
 11 way, the same conclusion would follow that the map
 12 is an extreme Republican gerrymander and that the
 13 authors of Act 43 had information in their
 14 possession that predicted it."
 15 What evidence do you have that the map is an
 16 extreme Republican gerrymander?
 17 A That's based on the size of the efficiency gap and
 18 also the factual record of the -- of what the
 19 individuals who drew the map did in the process,
 20 the sequence that they went through.
 21 Q And we had some testimony earlier this morning on
 22 that, so you're referencing back to that earlier
 23 testimony?
 24 A Correct.
 25 Q And then it's also just the size of the efficiency

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 92

1 **gap seen in the first two elections?**
 2 A And also the examples that I found in analyzing
 3 Act 43 with packing and cracking.
 4 Q And those are in your initial report?
 5 A Correct.
 6 Q In this report you take incumbency into account?
 7 A I'm sorry, I was coughing.
 8 Q In your rebuttal report you take incumbency into
 9 account, whereas you had not done that in your
 10 initial report. What's your opinion as to whether
 11 in evaluating a districting plan people should
 12 consider incumbency?
 13 A In the political science literature the most
 14 common method is to use a baseline method which
 15 assumes no incumbents and that all races are
 16 contested. Because this gives you the best
 17 opportunity to evaluate alternative hypothetical
 18 plans, where you don't necessarily know where the
 19 incumbents are. And so that's the technique that
 20 I used.
 21 So the reason I reran the analysis with
 22 incumbency is in response to the criticism that
 23 Goedert leveled at the fact that I didn't do that
 24 demonstrated that my methods were unreliable, and
 25 that in the absence of that, taking incumbency

<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 93</p> <p>1 into effect, that my analysis could not be 2 trusted. I think that's incorrect. 3 But in response to that, I thought it was 4 prudent to go ahead and do the analysis with 5 incumbency, which demonstrates that even though 6 the efficiency gap changes, it does not have a 7 material effect on whether it's above or below the 8 threshold. Under Act 43, the efficiency gap 9 remains very large. Under the demonstration plan, 10 the efficiency gap remains very low, even when you 11 take incumbency into effect. 12 Q You've talked about using a baseline percentage 13 that has no incumbents in a district that's 14 contested, and you only used one set of election 15 results to calculate the baselines, correct, for 16 Act 43 in the demonstration plan? 17 A That's correct. 18 Q Would it be more accurate to take several 19 different election results and then create 20 partisan baselines? 21 A Not necessarily. The fact that Professor Gaddie 22 and I used different methods and came up with what 23 amount to the same answer suggests that it 24 wouldn't have made any difference. And the 25 predictive value of my model was so high that</p>	<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 95</p> <p>1 efficiency gap is 2.2 percent; correct? 2 A Correct. 3 Q And then you look at -- then when you factored in 4 incumbency you come to a 3.71 percent efficiency 5 gap; is that correct? 6 A That's correct. 7 Q So the effective incumbency increased the 8 efficiency gap by 1.5 percent; correct? 9 A 1.51 percentage points. 10 Q Yes. And your 3.71 percent gap is over half the 11 way to the 7 percent unconstitutional threshold? 12 A That's correct. 13 Q And looking at Act 43, the efficiency gap goes 14 from 11.69 to 13.04; is that correct? 15 A That's correct. 16 Q So that the efficiency gap caused a jump of 1.35 17 is it? 18 MR. STRAUSS: Object to the form. 19 You said the efficiency gap. You mean the 20 adding incumbency, I think. 21 Q So Act 43, the baseline is 11.69; do you see that? 22 A Yes. 23 Q And then adding an incumbency we get to 13.04; do 24 you see that? 25 A Yes.</p>
<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 94</p> <p>1 there was probably nothing that could be added 2 that would make it even more -- make it even more 3 accurate. 4 Q But what was the -- did you do an analysis of the 5 predictive power of what you're referring to as 6 the Gaddie model or your own model on the 2014 7 elections? 8 A I'm sorry? Could you say that again? 9 Q Yes. Did you do an analysis of the predictive 10 power of the Gaddie model on the 2014 elections, 11 how well it did predicting those? 12 A I did not. 13 Q Now, if the 2014 elections had occurred first 14 instead of the 2012 elections, would the 15 correlation have been different? Or I'm sorry, 16 the correlation between the Gaddie model in the 17 2012 election is different than the correlation 18 between the Gaddie model and the 2014 election, 19 wouldn't it be? 20 A Without looking at it, I don't know. 21 Q And Republicans won 63 seats in the 2014 election; 22 is that correct? 23 A I don't know. 24 Q All right. So I'm looking at Table E here, and it 25 shows that the demonstration plan based on</p>	<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 96</p> <p>1 Q So adding that incumbency, factoring an incumbency 2 added 1.35 to the efficiency gap? 3 A That's correct. 4 Q And you say it doesn't make a difference in this 5 case because both numbers are over the threshold; 6 is that correct? 7 A It's not a material difference. 8 Q Okay. But what if a plan -- it did make a 9 difference, which one do you think should be 10 considered? Like on one of them it was at 6.5. 11 Then it was at 8. 12 A I think it would depend on what you were trying to 13 do. As I mentioned in my earlier deposition, the 14 reason I did a baseline is that it is a crucial 15 piece of information to know what the baseline 16 partisanship was. And that's what you do in 17 advance, which is what Professor Gaddie did before 18 you know who the incumbents are, what the 19 districts actually are. I would -- and the reason 20 I did it that way is that I was trying to make a 21 direct comparison between the actual districts in 22 Act 43 and the hypothetical districts in the 23 demonstration plan where the effect of incumbency 24 would be rearranged because the district 25 boundaries are different. And so that's a direct</p>

<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 97</p> <p>1 point of comparison. And that's a vital piece of 2 information.</p> <p>3 Putting incumbency in as I demonstrated here 4 is another piece of information once an election 5 is actually -- putting an incumbency back in, that 6 effect back in is another piece of information, 7 but it did not change the results.</p> <p>8 Q In this case, but in some cases it might, wouldn't 9 it?</p> <p>10 A It's possible.</p> <p>11 Q And then what should a court look at in that 12 instance, which number?</p> <p>13 A You know, you're asking a hypothetical, and I 14 would have to actually look at the situation to 15 make a determination.</p> <p>16 Q And so in looking at -- further down on page 24, 17 you say that in 2012 there were 50 Republican 18 incumbents running and then 24 Democratic 19 incumbents; is that correct?</p> <p>20 A Where are you?</p> <p>21 Q Very last part of page 24 underneath the table but 22 before the footnote.</p> <p>23 A Correct. I see.</p> <p>24 Q And that's based on the -- what actually happened 25 in the 2012 elections? The incumbents that</p>	<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 99</p> <p>1 A That's correct. And in a situation where you had 2 multiple members of one party in a seat, I counted 3 that as the incumbent for that party. And that's 4 standard how this is treated in the discipline.</p> <p>5 And then for Act 43 I actually had the -- I 6 knew who the incumbents were. I knew what 7 districts they ran in, so I didn't need to geocode 8 their addresses.</p> <p>9 Q Sure. So if I understand it correctly, under both 10 Act 43 and the demonstration plan, to the extent 11 the seat was characterized as open, either because 12 there was no incumbent at all or there was a 13 match-up between incumbents of opposite party, 14 there should have been no change from your 15 open-seat baseline model?</p> <p>16 A I believe that's correct.</p> <p>17 Q Okay. And just -- maybe just to make sure we're 18 on the same page, if a district had no incumbent 19 on your demonstration plan, the vote total should 20 have remained the same because there was no need 21 to adjust for incumbency?</p> <p>22 A That's correct.</p> <p>23 Q And Act 43 the same thing as well; that if there 24 was no incumbent, the partisan baseline would just 25 have carried over from previously; is that</p>
<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 98</p> <p>1 actually chose to run for re-election?</p> <p>2 A That is correct.</p> <p>3 Q And in calculating -- in trying to account for 4 incumbency under Act 43, what did you do with 5 respect to those 74 incumbents?</p> <p>6 A I was provided by counsel a list of addresses for 7 every incumbent and their political party. I 8 geocoded that, which means that I used an 9 application that transforms an address -- a street 10 address into a geographic coordinate of latitude 11 and longitude, which then can be loaded into a GIS 12 system, and that gives me the physical location of 13 a -- of that address and that incumbent on the -- 14 on a map. With that information and using the 15 boundaries of demonstration plan, I was able to 16 identify districts that had an incumbent. And I 17 assigned the value of incumbency based on the rule 18 that if there was no incumbent it was an open 19 seat. If there was a Republican and Democratic 20 incumbent, it was an open seat, which is how this 21 is handled in the literature because the 22 incumbency advantage cancels out.</p> <p>23 Q I'll just stop you. So if there was a match-up 24 between two incumbents of different parties, 25 that's treated as open?</p>	<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 100</p> <p>1 correct?</p> <p>2 A That's correct.</p> <p>3 Q I have this computer here because I'm going to be 4 providing some printouts of Excel spreadsheets 5 that were produced to me by counsel that you 6 provided to them. To the extent that you want to 7 look at an original one, I have that on here. You 8 don't need to if you don't want to, but if you 9 want to, let me know and I can move the computer 10 over and you can look at the spreadsheet. 11 Sometimes they're harder to see in person.</p> <p>12</p> <p>13 MR. KEENAN: I'm marking different 14 tabs of the same spreadsheet files, so I'm 15 wondering should we mark them different 16 exhibit numbers or do them like 67-A, 67-B 17 sort of thing.</p> <p>18 MR. STRAUSS: Your choice.</p> <p>19 MR. KEENAN: Let's just do separate 20 numbers then. 21 (Exhibit No. 67 marked for 22 identification)</p> <p>23 Q I'll hand you Exhibit 67, and I will inform you 24 that this is taken from a file produced to me 25 that's called Efficiency Gap -- Incumbencies in my</p>

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 101

1 **Plan. It's an Excel spreadsheet file. This is**
 2 **from a tab that the title at the top of the first**
 3 **page says Act 43. That's the tab of the**
 4 **spreadsheet. Do you recognize this document?**
 5 A Can I look at it --
 6 Q **Sure.**
 7 A I just want to make sure. Okay.
 8 Q **So do you recognize what this document is?**
 9 A Yes.
 10 Q **And what is this document?**
 11 A This is a document that -- or this is a
 12 spreadsheet or a worksheet that shows for each
 13 Assembly District the predicted values of the
 14 Democratic and Republican Assembly vote for all of
 15 the different possible permutations where the seat
 16 is open, where the seat has a Democratic incumbent
 17 and where the seat has a Republican incumbent.
 18 And then the last two columns, J and K -- actually
 19 let me continue to describe this. Column A is the
 20 district number. Column B records the party of
 21 the incumbent, R for Republican, D for Democrat, O
 22 for open seat. And then the last two columns, J
 23 and K, take from the previous values the
 24 appropriate value of the vote prediction based on
 25 whether it was open, a Democratic incumbent, or a

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 102

1 Republican incumbent. And that gives you the
 2 forecast of the result of my baseline estimate
 3 once you factor in incumbency.
 4 Q **So looking at District 1, it's in Column B, the**
 5 **incumbent column, it has an R there. Does that**
 6 **mean there's a Republican incumbent in that**
 7 **district?**
 8 A Yes.
 9 Q **And then I see on District 7 there's an O in**
 10 **Column B. Does that mean it's an open seat?**
 11 A That's correct.
 12 Q **And then I guess down another one, District 8**
 13 **there's a D in the incumbent column. Does that**
 14 **mean a Democratic incumbent was in that seat?**
 15 A That's correct.
 16 Q **I guess if we add all these up, we should have 50**
 17 **Republican incumbents and then 24 Democratic**
 18 **incumbents?**
 19 A I imagine that's true.
 20 Q **And just to be clear, because there's an R**
 21 **incumbent, the vote total when taking incumbency**
 22 **into account would be the column that says --**
 23 **Column H, R-Rinc; is that correct?**
 24 A I'm sorry, say that again.
 25 Q **Sure. In District 1 there's a Republican**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 103

1 **incumbent, so would a correct vote total when**
 2 **you're trying to take incumbency into account be**
 3 **Column H which is R-Rinc?**
 4 A That's correct.
 5 Q **And then the Democratic vote in that instance**
 6 **would then be the column F, D-Rinc; is that**
 7 **correct?**
 8 A That's correct.
 9 Q **That stands for like a Democrat in an incumbent**
 10 **district?**
 11 A Exactly.
 12 Q **And then we go to open seat. The vote totals**
 13 **would be the R open and the D open; correct?**
 14 A Correct.
 15 Q **And then a Democrat seat like District 8 would be**
 16 **-- the Democratic vote total would be column E,**
 17 **D-Dinc; is that correct?**
 18 A That's correct.
 19 Q **And then the Republican vote would be the R-Dinc,**
 20 **Column G?**
 21 A Correct.
 22 Q **Okay. And then I guess we'll get to another**
 23 **document that does this more fully.**
 24 **The columns J and K would be the Act 43 vote**
 25 **totals taking incumbency into account?**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 104

1 A The predicted Act 43 vote totals taking incumbency
 2 into account.
 3 Q **Sure. Based on your model?**
 4 A That's correct.
 5 Q **And then you might keep that handy to the extent**
 6 **we need to refer you.**
 7 **MR. KEENAN:** I will now mark this
 8 one as 68.
 9 (Exhibit No. 68 marked for
 10 identification)
 11 Q **I'll hand this to you. And this is another tab of**
 12 **this same spreadsheet we've been looking at, a tab**
 13 **that's titled, as it says at the top of the**
 14 **document, Inc Calcs My Plan. And you can look at**
 15 **it on the machine as well.**
 16 A Okay.
 17 Q **Could you explain what this document is.**
 18 A This is a spreadsheet that does the equivalent
 19 calculation for the demonstration plan based on
 20 the baseline partisan predictions which assumes,
 21 as does the Act 43 calculation, that all races are
 22 contested. This, for the designation of the
 23 incumbent status of the race, uses the geocoded
 24 address of the incumbents with incumbency
 25 determined as I mentioned earlier. So it

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 105

1 replicates the predicted vote -- the predictive
 2 vote totals under the demonstration plan taking
 3 incumbency into effect.
 4 **Q And then we see some of the same headings in the**
 5 **Columns A, B, C, D, E, F, G, and H. Do the values**
 6 **in Exhibit 68, are those columns the same as they**
 7 **were in Exhibit 67?**
 8 **A So are these values the same?**
 9 **Q I'm sorry. That was a bad word. Not the values**
 10 **but what the categories, like what the column is**
 11 **representing. R-open, does that also mean a**
 12 **Republican open seat like it did in the prior**
 13 **spreadsheet we looked at?**
 14 **A That's correct.**
 15 **Q And so like the incumbent column, an R means a**
 16 **Republican incumbent is in that district?**
 17 **A That -- well, that value is the predicted vote**
 18 **total if the incumbent is in that district or if**
 19 **that district has a Republican incumbent.**
 20 **Q I'm sorry, I may have been -- just look at**
 21 **Column B, it says incumbent and then there's an R**
 22 **in District 1. Does that mean that your geocoding**
 23 **analysis shows that a Republican incumbent would**
 24 **be in your demonstration plan Exhibit 1 or**
 25 **District 1?**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 106

1 **A That's correct.**
 2 **Q And so the same with District 2, there's an R**
 3 **there, so that shows that a Republican incumbent**
 4 **would have been in your demonstration plan**
 5 **Exhibit 2 -- or District 2?**
 6 **A That's correct.**
 7 **Q And going through the Os would also represent an**
 8 **open seat like they did before; is that correct?**
 9 **A That's correct.**
 10 **Q And then a D would represent a Democratic**
 11 **incumbent is located in the relevant demonstration**
 12 **plan district?**
 13 **A That's correct.**
 14 **Q I saw on Districts 49 and 50, it's on page 2,**
 15 **there's a Y in that column. Do you know what that**
 16 **means?**
 17 **A So based on the predicted Democratic and**
 18 **Republican vote, that is recorded as an open seat.**
 19 **That may have been one of the areas where you had**
 20 **an odd combination. So if it was two Republicans**
 21 **and two Democrats, it's an open seat. This may**
 22 **have been a case where you had three incumbent,**
 23 **three Republicans and two Democrats or two**
 24 **Democrats and one Republican. So I believe I**
 25 **counted that as an open seat. But I wanted to**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 107

1 designate that so if I had to, I'd go back and
 2 check that.
 3 **Q Okay. And then if we go up -- if we just would**
 4 **add all the Rs in column B, that would show how**
 5 **many Republican incumbents under the demonstration**
 6 **plan would be running in a district for**
 7 **re-election?**
 8 **A Not precisely. That wouldn't count the number of**
 9 **incumbents. It would count the number of**
 10 **districts that were coded as Republican incumbents**
 11 **because remember you could have districts with**
 12 **more than one incumbent. So that wouldn't -- the**
 13 **number of Rs and Ds would not necessarily**
 14 **translate into the actual number of Republican and**
 15 **Democratic incumbents who ran under Act 43.**
 16 **Q Do you know how many under your demonstration**
 17 **plan -- how many Republican Assemblymen incumbents**
 18 **were districted together in the same district?**
 19 **A Not off the top of my head.**
 20 **Q Is there a way -- is there a document that shows**
 21 **that?**
 22 **A It would probably be on the actual GIS files on my**
 23 **desktop. I never did produce a written document**
 24 **with that.**
 25 **Q And then do you know how many match-ups of**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 108

1 **Republican and Democratic incumbents your plan**
 2 **resulted in?**
 3 **A I don't recall sitting here.**
 4 **Q Or how many Democratic incumbents were districted**
 5 **out of their prior district?**
 6 **A I don't know sitting here.**
 7 **Q And that wasn't something that you considered when**
 8 **you were drawing the demonstration plan initially?**
 9 **A That's correct, I did not.**
 10 **Q So in looking at Exhibit 68 to determine the vote**
 11 **totals under your plan, taking incumbency into**
 12 **account, if there is a -- in Column B, if Column B**
 13 **represents an R for Republican incumbent, then the**
 14 **Republican vote share should be the R-Rinc**
 15 **total; is that correct?**
 16 **A That's correct.**
 17 **Q And then the Democratic vote in that district**
 18 **would be the D-Rinc column; is that correct?**
 19 **A That's correct.**
 20 **Q And then for an open seat it would be the R open**
 21 **and D open vote totals; is that correct?**
 22 **A That's correct.**
 23 **Q And those numbers shouldn't change from your**
 24 **open-seat baseline from your initial report; is**
 25 **that correct?**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 109

1 A They shouldn't.

2 **Q And then a D, if there's a D in the incumbent**

3 **column, the Democratic vote total would be the**

4 **D-Dinc column; is that correct?**

5 A That's correct.

6 **Q And then the Republican vote total in that**

7 **district would be the R-Dinc column; is that**

8 **correct?**

9 A That's correct.

10 (Exhibit No. 69 marked for

11 identification)

12 **Q I'll give you Exhibit 69. This is a printout of**

13 **another tab in the same Excel spreadsheet we've**

14 **been looking at which was -- as shown at the top**

15 **it's labeled EG Act 43 With INC?**

16 A Okay. So this is from the -- this is just a

17 different tab.

18 **Q A different tab with a title that's on the top**

19 **here. So I take it this relates to Act 43;**

20 **correct?**

21 A Correct.

22 **Q And then why don't you explain what this document**

23 **is?**

24 A This is a spreadsheet template that I used to

25 calculate the efficiency gap. It has the

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 110

1 districts, the population, the predicted votes

2 from my model, and then the calculations of the

3 lost and surplus Democratic and Republican votes,

4 which go into calculating the efficiency gap.

5 **Q And if you could pull up Exhibit 67 as well to**

6 **look in conjunction with this. If I understand it**

7 **correctly, if you look at Exhibit 67 it shows**

8 **District 1 was a Republican incumbent, and**

9 **therefore the vote total is, Column H, 16,908; is**

10 **that correct?**

11 A That's correct.

12 **Q And so then we look at Exhibit 69. It shows**

13 **predicted Republican vote in Column H of 16,908.**

14 **Do you see that?**

15 A I do.

16 **Q So should the numbers on Exhibit 69 match up with**

17 **the numbers on Exhibit 67 when you look at the**

18 **predicted Dem and predicted Republican votes?**

19 A They should.

20 **Q Now if we go to the last page of this.**

21 **MR. STRAUSS:** Which, Exhibit 69?

22 **MR. KEENAN:** Yes, Exhibit 69.

23 Sorry.

24 **Q It shows Republicans winning 60 total seats; is**

25 **that correct? Down on the bottom.**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 111

1 A That's correct.

2 **Q And I see a number in Column P, the very bottom,**

3 **it says 14.1422 percent. Do you see that?**

4 A Yes.

5 **Q Is that the efficiency gap of Act 43 with**

6 **incumbents?**

7 A I'm not sure. I'd have to look at what that

8 number refers to.

9 **Q Well, I'll give you the time to look because in**

10 **your report it says that the efficiency gap for**

11 **Act 43 with incumbents taken into account is 13.04**

12 **and this shows 14.14, so I'm wondering what the**

13 **discrepancy is.**

14 A Now, I'm not sure what's going on here. The

15 actual table that has the efficiency gap

16 calculations is in a different file.

17 **Q And if you can find that file, I'd -- I didn't see**

18 **one in the production that had a spreadsheet with**

19 **Act 43 calculations.**

20 A Okay. Can you bring up the directory?

21 **Q So Mayer production 2, correct, is what was --**

22 **that's how we saved the corrected production that**

23 **was made to us, and then these are the folders**

24 **that were given to us.**

25 A I don't know why that number is different. It

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 112

1 could just be a typo. I would have to -- because

2 that's the correct formula. But that's an error.

3 Actually, it's an error in your favor.

4 **Q And then a question on Exhibit 69, just**

5 **technically how -- there's listed predicted Dem**

6 **votes and then predicted Republican votes, and**

7 **that number will change depending on whether**

8 **there's an incumbent or not. How did you get the**

9 **number that's listed in Column F or Column H? Was**

10 **it manually input, or did you have some sort of**

11 **automatic way of populating those fields?**

12 A My recollection is that I did it as a

13 copy-and-paste with the districts sorted.

14 **Q Would that have been a copy-and-paste from**

15 **Exhibit 67?**

16 A It's possible.

17 **MR. KEENAN:** We'll mark this next

18 one as Exhibit 70.

19 (Exhibit No. 70 marked for

20 identification)

21 **Q I'll put that before you. And this is yet another**

22 **tab from the same spreadsheet titled, as reflected**

23 **on the top, EG with INC. And I think it might be**

24 **helpful to look at this one in conjunction with**

25 **Exhibit 68. Can you identify what Exhibit 70 is?**

<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 113</p> <p>1 A This is the -- looks like the template for 2 calculating the efficiency gap with what looks to 3 be the demonstration plan, but I can't be certain 4 because it does not say. 5 Q And feel free to look at the spreadsheet, and I 6 was going to compare numbers in Exhibit 68 with 7 Exhibit 70. 8 A Okay. 9 Q And if you look at, for example, District 1 on 10 Exhibit 68 shows there's a Republican incumbent, 11 that the Democratic vote with a Republican 12 incumbent Column F should be 15,632.83, and 13 Column J says D vote 15,632.83. And then if we 14 look at Exhibit 70, Column F, predicted Dem it 15 says 15,632.8269. Do you see that? 16 A Yes. 17 Q Okay. And then looking down at District 2, I see 18 it also matches up with the D-Rinc, Column F, 19 11,254.58. Do you see that? 20 A Yes. 21 Q So does Exhibit 70 represent the predicted vote 22 totals under the demonstration plan taking 23 incumbency into account? 24 A It should. 25 Q And then it also contains a list, as we've seen in</p>	<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 115</p> <p>1 is that correct? 2 A I did. 3 Q And you did that to both the demonstration plan 4 and Act 43; right? 5 A That's correct. 6 Q Could you explain how you performed a uniform 7 swing? 8 A So the way that a uniform swing is done is that 9 you subtract or add a constant vote share change 10 to each district in a plan. So D minus 5 would be 11 looking at the percent Democratic vote in each 12 district and subtract -- it's actually to get it 13 right you don't subtract 5 percent. You subtract 14 2 and a half percent because you're subtracting 15 and increasing the other side, which amounts to a 16 5 percent swing. And then you look at the results 17 and you can -- it's a standard technique that 18 allows you to examine the effects of a plan in a 19 variety of different electoral settings. 20 Q Now, in your model -- in looking at your model, 21 did you swing the vote totals, or did you swing 22 the two-party vote percentage? 23 A In doing the calculations I swung the vote totals. 24 Q And did you swing the total Assembly vote, or did 25 you swing the input that generated that, like the</p>
<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 114</p> <p>1 some other spreadsheets, of the wasted votes. And 2 then we look at the bottom at the very last page, 3 it has total numbers of wasted votes across all 4 districts. Do you see that? 5 A Yes. 6 Q And here I believe it indicates that there's an 7 efficiency gap of 3.8855 percent; is that correct? 8 A That's correct. 9 Q In your report it says that the efficiency gap 10 with incumbency taken into account is 11 3.71 percent, I believe. Do you know why there's 12 a discrepancy? 13 A Sitting here, I don't. 14 Q And looking at -- here's a Column Q that says "Rep 15 win." I take it that means Republican win? 16 A Uh-huh. 17 Q And at the bottom totaling them all up it shows 18 50 Republican wins. Do you see that? 19 A Yes. 20 Q So does that show that taking incumbents into 21 account under the demonstration plan, the 22 Republicans would be expected to win 50 of the 99 23 seats in the Wisconsin Assembly? 24 A That's correct. 25 Q Now, you also did some uniform swing calculations;</p>	<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 116</p> <p>1 presidential vote total? 2 A No. I applied the constant to the predicted vote 3 totals. 4 Q So maybe we could just look at Exhibit 70 was the 5 last one we were looking at. We could get a sense 6 of this like District 2 maybe has D, predicted Dem 7 vote of 11,255, rounding to the nearest whole 8 number. Do you see that? 9 A Say that again. 10 Q Yes. District 2 I'm looking at. 11 A Uh-huh. 12 Q Has a Column F, predicted Dem vote, 11,254, which 13 I guess we'd arrive to 255 to the nearest whole 14 number? 15 A That's correct. 16 Q And that equals 51.8 percent of the two-party 17 vote; is that correct? 18 A Correct. 19 Q Then there's a corresponding Republican vote share 20 of 10,457 rounding to the nearest whole number. 21 Do you see that? 22 A Right. 23 Q And that's 40.2 percent of the two-party vote? 24 A Correct. 25 Q A uniform swing of minus 5 percent, how would</p>

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 117

1 **those numbers change?**

2 A A uniform swing of 5 percent, that would turn into

3 a Republican district.

4 Q **And how did you -- what would the Dem vote total**

5 **be? And I guess I don't need you to actually give**

6 **me a number, but how would you modify the number**

7 **that's in Column F to get to the new number?**

8 A I would want to look at the spreadsheet I used to

9 do those calculations. I believe I subtracted

10 just a percentage from the vote totals.

11 Q **Okay. And then would the two-party vote shares,**

12 **would you be able to just subtract 5 from 51.8 and**

13 **that would end up being the new two-party vote**

14 **share?**

15 A I'd have to look at the calculation because I

16 think algebraically it's a little different. You

17 don't swing that up or down by 5 percentage points

18 because the math -- I think you swing it up or

19 down by -- because we're dealing with vote totals

20 instead of vote percentages. To get the

21 percentages right, you have to change the vote

22 totals by half that, which actually gets you to

23 the plus or minus 5. Because if we were applying

24 the swing analysis just to the vote percentage,

25 you could do it. But when you're looking at the

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 118

1 raw numbers, I think you have to add and subtract

2 half of the swing.

3 Q **I'll open up another spreadsheet here which is the**

4 **swing ratio report calculations. You can look at**

5 **that, but I'm going to go to some spreadsheets**

6 **from that document, which I believe is your swing**

7 **ratio document you were referring to.**

8 **(Exhibit No. 71 marked for**

9 **identification)**

10 Q **I set before you what's been marked as Exhibit 71,**

11 **which is a tab, and I believe it's the leftward**

12 **most tab on the spreadsheet we were looking at**

13 **called Incumbents.**

14 A Okay.

15 Q **And can you identify what this document is?**

16 A This looks like it is a set of efficiency gap

17 calculations for what appears to be the

18 demonstration plan.

19 Q **Then if we go to the last page, in Column T it**

20 **shows an efficiency gap of 3.71. Do you see that?**

21 A I do.

22 Q **And that matches up with what's in your report?**

23 A It does.

24 Q **And so -- and if we look at the other tabs on the**

25 **spreadsheet there, I think there's one called**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 119

1 **minus 5 and plus 3. Do you see that?**

2 A Yes.

3 Q **So are those -- does Exhibit 71 provide the**

4 **baseline from which you performed uniform swings**

5 **of minus 5 and plus 3?**

6 A That's correct.

7 Q **Now, should Exhibit 71 contain the same numbers**

8 **that we saw in Exhibit 70, which was your**

9 **efficiency gap calculation for the demonstration**

10 **plan with incumbents?**

11 A So I'm going to say no. Because I think what

12 occurred here is that the Exhibit 70 is an earlier

13 version that I would have to go back and check.

14 But I must have made some corrections to that.

15 And I don't know why that was included. But what

16 was -- the report used this number. Then this is

17 the accurate number. And this must have been a

18 preliminary version for one reason or another. I

19 don't know why.

20 Q **So if we look at Exhibit 71, it shows -- what does**

21 **the Column J predicted Dem mean?**

22 A That is the forecast number of Democratic votes in

23 the demonstration plan district with incumbency

24 taken into effect.

25 Q **And what does the predicted Rep Column L mean?**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 120

1 A It's the same value for the predicted Republican

2 vote in the demonstration plan Assembly District

3 after taking incumbency into effect.

4 Q **If we look at District 2, I see the predicted Dem**

5 **vote in Column J is 12,899. Do you see that?**

6 A So we're looking at Assembly District 2?

7 Q **Yes.**

8 A The predicted Democratic vote.

9 Q **Is 12,899?**

10 A Yes.

11 Q **And that's 58.4 percent then, the next Column**

12 **over?**

13 A That's correct.

14 Q **And then if I look back at Column F, it's showing**

15 **me that the predicted Dem vote total is equivalent**

16 **to what you would expect in a Dem -- Democratic**

17 **incumbent district; is that correct?**

18 A That's correct.

19 Q **Now, Exhibit 68 shows that there was a Republican**

20 **incumbent in District 2.**

21 A So I think what happened -- this is a

22 preliminary -- this was a preliminary version of

23 that that must have -- there must have been some

24 corrections I made. And I do recall a number of

25 situations where it was sometimes difficult to

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 121

1 determine where an incumbent was because even the
2 resolution of the markers was not necessarily
3 clear. So I'm comfortable relying on the numbers
4 in Exhibit 71 as the accurate ones. So what is in
5 Exhibit 68 appears to be a preliminary version.
6 **Q Are there any open seats in Exhibit 71? Any**
7 **predicted vote totals you can see that are**
8 **generated from open seats?**
9 A Looking at this, it would be difficult to tell
10 because there is no designation about whether it's
11 open or not.
12 **Q As I look at them, all the vote totals seem to**
13 **indicate that there's either a Democratic or**
14 **Republican incumbent; is that correct?**
15 A I mean, I don't know. I'd have to go through and
16 check this line by line.
17 **Q Well, looking at Exhibit 68, it shows four is an**
18 **open seat. I'm looking at District 4. The**
19 **predicted Dem vote is 10,276, which is what's**
20 **listed in the Dem Rinc Column affecting a**
21 **Republican incumbent.**
22 A That's what it shows.
23 **Q Now, there were some open seats in your**
24 **demonstration plan; that's correct? They weren't**
25 **all held by incumbents?**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 122

1 A That's correct.
2 **Q I'll show you what was previously marked as**
3 **Exhibit 10. This was a similar spreadsheet that**
4 **was done on your no incumbents all contested seat**
5 **baseline. Now, for open seats in -- with taking**
6 **incumbency into account, the numbers should be the**
7 **same as they were in Exhibit 10; is that correct?**
8 A So I'm not sure what this is referring to, whether
9 it's Act 43 or the demonstration plan.
10 **Q This is the demonstration plan, Exhibit 10. I'm**
11 **not seeing any of the districts from Exhibit 10**
12 **matching up with Exhibit 71.**
13 A This is -- it's open seat data in Exhibit 10.
14 **Q But some of the seats with your incumbents would**
15 **have still been open seats; correct? So some of**
16 **the numbers should be the same if I'm**
17 **understanding this correctly.**
18 A It should be.
19 **Q And as a general matter, an open seat -- a seat**
20 **that in your open seat calculation, not factoring**
21 **incumbency into account that is for a Democrat**
22 **that shows up as being won by a Democrat, if you**
23 **then assume that a Democratic incumbent's in the**
24 **district, the Democratic vote totals will be**
25 **larger; is that correct?**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 123

1 A Say that again.
2 **Q Sure. If we look at Exhibit 10 and this is all**
3 **open seats, and we see a district and it has a**
4 **particular Democratic vote total, and then if we**
5 **are going to assume that an incumbent is running**
6 **in that district, the Democrat's vote total will**
7 **increase; is that correct?**
8 A It should be.
9 **Q And the same thing as a Republican. A Republican**
10 **incumbent will increase the vote totals off of the**
11 **open seat baseline?**
12 A It should be.
13 **Q And if we look at -- I'll just compare**
14 **Exhibit 2 -- sorry, District 2 on Exhibit 10, I**
15 **see that the Democratic predicted vote is 11,805**
16 **votes and that's 54.1 percent. Do you see that?**
17 A Uh-huh, yes.
18 **Q And then if we look at Exhibit 71, District 2 now**
19 **has 12,899 votes and a 58.4 percent Democratic**
20 **vote share; is that correct?**
21 A That's what it shows.
22 **Q So that indicates that Exhibit 71 is reflecting**
23 **that there's a Democratic incumbent in that**
24 **district?**
25 A That appears to be what it shows.

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 124

1 **Q And then if we look at Exhibit 70, if you look at**
2 **Exhibit -- District 2, it shows 11,255 Democratic**
3 **votes and a 51.8 percent Democratic vote share;**
4 **correct?**
5 A So what are we comparing here?
6 **Q District 2 across all these documents.**
7 A Okay.
8 **Q And so Exhibit 70 was a reflection that there was**
9 **a Republican incumbent in District 2; is that**
10 **correct? If you refer to Exhibit 67. Or sorry.**
11 A I've got too many exhibits.
12 **Q Too many documents. I was referring to the wrong**
13 **one. It's Exhibit 68. It shows a Republican**
14 **incumbent in District 2.**
15 A That's correct.
16 **Q And then when we look at Exhibit 70 which is based**
17 **off of Exhibit 68, we see the Democratic votes are**
18 **11,255 and a vote share of 51.8 percent; is that**
19 **correct?**
20 A That's correct.
21 **Q Now, that has decreased from the open seat**
22 **baseline because there's a Republican incumbent**
23 **running in that district?**
24 A I believe so.
25 **Q And what these numbers show, that it makes a**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 125

1 difference depending on whether you assume there's
 2 a Democratic or Republican incumbent running in
 3 each of these districts; isn't that right?
 4 A That's correct.
 5 Q If we wanted to get an accurate picture of what
 6 the efficiency gap was under the demonstration
 7 plan taking incumbency into account, we'd have to
 8 correctly designate the incumbents that were in
 9 each district?
 10 A That's correct.
 11 MS. GREENWOOD: Are we able to take
 12 a break for a moment?
 13 MR. KEENAN: Sure.
 14 MS. GREENWOOD: Thanks.
 15 (Recess)
 16 MR. STRAUSS: There are some
 17 discrepancies between what's on the
 18 spreadsheets and what's in the rebuttal
 19 report. Professor Mayer believes he can
 20 explain what the cause is of those
 21 discrepancies and can explain to you which he
 22 believes are the correct numbers. He needs
 23 to do some corrected calculations to provide
 24 corrected spreadsheets. He would have to --
 25 what we suggest is that you take this

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 126

1 opportunity to ask him what he believes is
 2 the reason for the discrepancy, what he
 3 believes are the correct numbers, whether he
 4 believes the differences make any material
 5 difference to his opinion, and that he issue
 6 a revised rebuttal report with the corrected
 7 numbers in it. Is that agreeable to you to
 8 proceed that way?
 9 MR. KEENAN: It might be. I'd like
 10 to ask him the questions.
 11 MR. STRAUSS: Go ahead.
 12 MR. KEENAN: And then I think I'm
 13 not against providing a revised rebuttal
 14 report, whether that's something he can use
 15 in court or whatever, that -- whether that's
 16 admissible in the trial or permissible, I
 17 just don't know. I've never dealt with that
 18 situation before. I don't want to commit to,
 19 like, agreeing to that if it's not provided
 20 for under the rules and things like that. I
 21 just don't know how that works. So I'm not
 22 saying you can't do it, but I don't know,
 23 like, what the effect of it is.
 24 MR. STRAUSS: Go ahead and continue
 25 with your questioning then.

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 127

1 Q Okay. So we had a colloquy with counsel about
 2 some potential errors.
 3 MR. STRAUSS: Let me say this. The
 4 alternative is for him to go home and for us
 5 to adjourn the deposition now and for him to
 6 go home and provide corrected spreadsheets
 7 for you this afternoon, to do the
 8 calculations at home and bring them back and
 9 have you be able to question him about them
 10 this afternoon. And we could do that if
 11 that's what you would prefer.
 12 MR. KEENAN: How long do you think
 13 that would take?
 14 THE WITNESS: Well, it would take
 15 me 20 minutes to get home. I don't think it
 16 would take me long. We're not talking about
 17 hours. It might be a half hour or 40 minutes
 18 of work just to do it and confirm it and come
 19 back.
 20 (Discussion off the record)
 21 MR. KEENAN: I appreciate that
 22 offer. I don't know if that just works with
 23 my schedule today depending on how long it
 24 takes in traffic and things. So I think I'd
 25 like to continue asking questions at this

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 128

1 point. I am open to a revised -- some sort
 2 of revision to the report with corrected
 3 calculations. I think we might have to
 4 reserve the right to do a further limited
 5 deposition about a revision. Would you be
 6 agreeable to that?
 7 MR. STRAUSS: Yes.
 8 MR. KEENAN: I haven't confronted
 9 this before with a rebuttal report and then a
 10 correction, so I just don't know the legal
 11 effect of that. So I just don't want to
 12 commit one way or the other about what the
 13 effect of that is.
 14 MR. STRAUSS: Yes.
 15 By Mr. Keenan:
 16 Q Dr. Mayer, we have been talking about some
 17 colloquy with counsel, and so I believe there may
 18 be some errors in these spreadsheets and in the
 19 report. So why don't you explain what you think
 20 those are.
 21 A So it looks like what happened is for some reason
 22 in the spreadsheet in Exhibit 71, which I
 23 originally had taken to be the correct numbers, I
 24 had made an error in calculating every district as
 25 having an incumbent. And I don't know why that

<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 129</p> <p>1 happened. And after reviewing that, it now looks 2 to me, although I would want to confirm it, that 3 the numbers in Exhibit 69 and 70 are the correct 4 ones. So this would make a small difference in 5 the efficiency gap calculations and with the 6 correct identification of the seats that were open 7 and with the -- with incumbents.</p> <p>8 Q And would the mistake in Exhibit 71 then also mean 9 that the swing calculations that you performed of 10 plus 3 and minus 5 would be -- need to be 11 corrected because those were based on Exhibit 71?</p> <p>12 A Those numbers would likely change.</p> <p>13 Q But it's your understanding that Exhibits 68 and 14 70 would provide -- or what you think would 15 provide the correct information for the 16 demonstration plan with incumbents?</p> <p>17 A 69 and 70.</p> <p>18 Q Oh, 69.</p> <p>19 A I believe so.</p> <p>20 Q And then what you're proposing to do is provide -- 21 you would generate a document similar to 22 Exhibit 71 but with corrected vote totals and vote 23 percentages?</p> <p>24 A What I would do is repeat the swing analysis with 25 confirming that I have the correct numbers.</p>	<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 131</p> <p>1 with counsel about some interrogatories and 2 requests to admit that we served on the 3 plaintiffs which were objected to by 4 plaintiffs' counsel. I guess I can let 5 plaintiffs' counsel state the basis for the 6 objection which he just stated off the record 7 but get it on the record.</p> <p>8 MR. STRAUSS: Well, if defense 9 counsel intends to ask the same questions 10 from the interrogatories to this witness, we 11 object to this question because it calls for 12 plaintiffs' expert to perform a calculation 13 at plaintiffs' expense that can equally be 14 performed by defendants paying their own 15 experts to do the calculation. 16 Professor Mayer has provided all the data 17 necessary to do the calculations. Defense 18 counsel can question him about how to do the 19 calculations, but we don't believe it's 20 appropriate or a proper use of the procedures 21 for expert discovery to shift the expense of 22 defendants' experts' work to plaintiffs, 23 making plaintiffs having to pay their expert 24 to do the work of defense experts for the 25 defendant. So we object to this witness</p>
<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 130</p> <p>1 Q And then -- so I would say that I agree that you 2 should do that.</p> <p>3 A Okay.</p> <p>4 Q And then doing it today just didn't seem feasible 5 to me. I appreciate the offer. If we would have 6 run into this earlier in the day, maybe it would 7 have worked. I'd appreciate that as quick as we 8 could get it.</p> <p>9 And then I also wanted to get into your -- 10 you did some swing calculations on Act 43, and I 11 didn't see a spreadsheet that showed how you 12 arrived at those calculations. Would you be able 13 to produce -- it sort of would be the equivalent 14 to what Exhibit 71 -- I guess we're changing 15 Exhibit 71, but a similar type of document and 16 then with the swing analysis for Act 43 --</p> <p>17 A I believe so.</p> <p>18 Q And I would have raised that earlier with counsel, 19 but I just didn't realize it until too late.</p> <p>20 MR. KEENAN: You mind if I take a 21 break?</p> <p>22 MR. STRAUSS: That's fine. 23 (Recess)</p> <p>24 MR. KEENAN: We're back on the 25 record. I just had a colloquy off the record</p>	<p>Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 132</p> <p>1 being asked to do calculations at this 2 deposition for defense counsel.</p> <p>3 MR. KEENAN: And I'm not conceding 4 that objection, but I will ask 5 Professor Mayer some questions about how he 6 would do that.</p> <p>7 MR. STRAUSS: That's fine.</p> <p>8 MR. KEENAN: So reserving we'll 9 possibly move to compel to get answers. But 10 I'll talk to Professor Mayer here.</p> <p>11 By Mr. Keenan:</p> <p>12 Q So I served a number of interrogatories upon the 13 plaintiffs which have the same basic form but 14 cover different geographic areas. And they asked 15 for using your baseline partisanship model to 16 identify the total number of votes that would be 17 predicted to be cast for Republican and then 18 Democratic candidates in particular --</p> <p>19 MR. STRAUSS: Why don't you take 20 Interrogatory No. 1 and use it as an example.</p> <p>21 MR. KEENAN: Sure.</p> <p>22 Q So I just wanted to know how do I identify the 23 total number of votes --</p> <p>24 MR. STRAUSS: Let's just read 25 Interrogatory No. 1 into the record.</p>

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 133

1 **MR. KEENAN:** Sure.

2 **Q "Using Mayer's baseline partisanship model,**

3 **identify the total number of votes predicted to be**

4 **cast for Democratic candidates for the Assembly in**

5 **all wards in the city of Milwaukee."**

6 I understand that there was some objection to

7 the use of the term "all wards" that your model

8 used census blocks I believe, not wards. But the

9 point of this question is just to get the total

10 **Assembly vote in the city of Milwaukee for**

11 **Democratic candidates. The plaintiffs have**

12 **responded that the data -- that defendants have**

13 **the data to run this calculation themselves.**

14 How would the defendants and/or their experts

15 be able to determine the answer to Interrogatory

16 **No. 1?**

17 **A** I believe in the original discovery phase for my

18 original report, one of the files that was

19 disclosed was either a spreadsheet or an Excel --

20 or a Stata file that had the results for -- of the

21 baseline partisanship model for every ward in the

22 state.

23 **Q And I'm going to go to the computer and we have**

24 **the -- okay. There's a file on this computer**

25 **which says "Mayer Discovery," and this is the**

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 134

1 **Dropbox that was provided last time around for**

2 **your first deposition, and there are folders.**

3 **One's called Correspondence and one called Data.**

4 **I'm going to open the data file because I think**

5 **that's probably where this is. But if you could**

6 **identify on this computer what file you believe**

7 **could provide the answers to the interrogatories.**

8 **A** It is -- I believe it's the file Ward Level

9 Election Data for Merge with Block File.

10 **Q And then using that --**

11 **A** No. I clicked on the wrong file. I'm an Apple

12 person.

13 So what I'm looking at is a spreadsheet that

14 has a number of columns. Can I just pull this

15 closer so I can sit down?

16 **Q Sure.**

17 **A** And it shows the ward-level results, both the

18 inputs and the outputs of my underlying baseline

19 partisanship model. And the first Column,

20 Column A, is called the Ward FIPS, F-I-P-S. FIPS

21 stands for Federal Information Processing

22 Standard. And that is a -- I believe it's a 13 or

23 15-digit number which is used to uniquely identify

24 different geographic areas. And so what you would

25 need -- what you would need is a file that the

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 135

1 LTSB has for each ward that has the -- you would

2 have to link the file to that file using the FIPS

3 number.

4 And the reason that the ward names are not

5 included here is that I used this file to load

6 data into Maptitude. I used the FIPS number to

7 match up this file with other files that allowed

8 me to disaggregate the ward-level results down to

9 the block level. And I did that by the FIPS

10 rather than the name because the naming

11 conventions -- you're dealing with strings, and

12 those can also be nonstandard, whereas the FIPS is

13 a numerical -- unambiguous numerical indicator.

14 So what you would do after linking this to

15 the -- another file that lists the names, and

16 also -- you could also identify the county and the

17 municipality from the FIPS code because it's a

18 combination of the state FIPS, the county FIPS,

19 the municipality FIPS, and then the ward FIPS

20 code. So you could extract the -- there's a --

21 extract the FIPS code for Milwaukee and then

22 identify which of the wards were in Milwaukee and

23 then just do a summary, or a sum.

24 **Q And then what computer program would I use --**

25 **MR. STRAUSS:** We can provide you

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 136

1 with a spreadsheet matching the ward names to

2 the FIPS codes.

3 **MR. KEENAN:** Okay.

4 **Q Does this work just in Excel itself, or do you**

5 **have to, like, import it into something else?**

6 **A** I did most of the statistical work in Stata just

7 because it's easier for me and there are functions

8 that allow you to collapse. But you could -- I

9 imagine you could do it in Excel. I'm not

10 familiar with how you do that. But you would just

11 need to aggregate the data to whatever level you

12 wanted, whether it was a city or county or some

13 other aggregation of different wards.

14 **Q And if we're able to pull out the proper**

15 **municipality FIPS code, then that will tell us the**

16 **votes in Racine or Milwaukee or Madison or**

17 **whatever city we're looking for?**

18 **A** That's correct.

19 **Q All right. Well, I might be able to then handle**

20 **that, so we'll see. Basically I want to have a**

21 **way that I can give this number and not have it be**

22 **questioned as somehow doing it wrong. So that's**

23 **why I wanted plaintiffs on the record as to how to**

24 **do this the right way.**

25 I have one small thing and I think everything

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 137

1 **else I would have would be related to this**
 2 **changing numbers, so it's not really worth getting**
 3 **into right now.**
 4 **MR. KEENAN:** Mark one more exhibit.
 5 (Exhibit No. 72 marked for
 6 identification)
 7 **Q I'll show you Exhibit 72 and if you could identify**
 8 **that document for me. It's a few documents**
 9 **connected together.**
 10 **A** These are invoices that I submitted to counsel for
 11 October, November, and December of 2015.
 12 **Q And have you been paid for the invoices that are**
 13 **in Exhibit 72?**
 14 **A** Yes.
 15 **Q And we had another set of invoices that we went**
 16 **over the first time around and now we have this**
 17 **set. Are those all the invoices you've submitted**
 18 **to plaintiffs' counsel?**
 19 **A** Yes.
 20 **MR. KEENAN:** All right. So that's
 21 all I have for now.
 22 **MR. STRAUSS:** I have a question or
 23 two.
 24
 25

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 139

1 RE-EXAMINATION
 2 By Mr. Keenan:
 3 **Q Attorney Strauss mentioned the Joey Chen amicus**
 4 **papers that were denied filing by the court. What**
 5 **did you do to analyze the Chen report?**
 6 **A** I read it.
 7 **Q Is that all?**
 8 **A** That's the extent, yes.
 9 **Q Did you analyze his method of doing the randomized**
 10 **districting at all?**
 11 **A** I'm familiar with it. I was familiar with it
 12 prior to this, but I did not do any particular
 13 analysis of this report.
 14 **Q So how much time did you spend reading Mr. Chen's**
 15 **report?**
 16 **A** Between the report and the declaration, probably
 17 an hour and a half.
 18 **Q And did you speak with Mr. Chen at all about it?**
 19 **A** I did not.
 20 **MR. KEENAN:** And I don't know if I
 21 need to put it on the record, but I think I
 22 would object to Mr. Mayer at trial offering
 23 additional opinions on either of his reports
 24 about Mr. Chen's reports, but that's all the
 25 questions I have.

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 138

1 **EXAMINATION**
 2 By Mr. Strauss:
 3 **Q Dr. Mayer, subsequent to you preparing your**
 4 **rebuttal report, did you receive and did you**
 5 **review a document entitled Dr. Joey Chen's**
 6 **Analysis of Wisconsin's Act 43?**
 7 **A** I did.
 8 **Q And reading and reviewing that, does that inform**
 9 **any of your opinions with respect to this case?**
 10 **A** It does.
 11 **Q And how does it?**
 12 **A** It is additional confirmation of my own analysis
 13 that indicated that there was no geographic
 14 clustering of -- differential geographic
 15 clustering of Democrats and Republicans that would
 16 produce a natural pro-Republican gerrymander.
 17 Indicating that based on the calculations that I
 18 had done with the Moran's I and the
 19 Isolation Index, that there is no material
 20 difference in how Democrats and Republicans are
 21 distributed geographically around the state.
 22 **Q Okay.**
 23 **MR. STRAUSS:** That's all I have.
 24
 25

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 140

1 **MR. STRAUSS:** All right. That
 2 concludes the deposition for today.
 3
 4 (adjourning at 2:11 p.m.)
 5
 6
 7
 8
 9
 10
 11
 12
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 141

1 STATE OF WISCONSIN)
2 COUNTY OF DANE) ss.

3 I, Taunia Northouse, a Registered Diplomate Reporter
4 and Notary Public duly commissioned and qualified in and
5 for the State of Wisconsin, do hereby certify that
6 pursuant to notice and subpoena, there came before me on
7 the 30th day of March 2016, at 9:03 in the forenoon, at
8 the offices of the State of Wisconsin Department of
9 Justice, 17 West Main Street, the City of Madison,
10 County of Dane, and State of Wisconsin, the following
11 named person, to wit: KENNETH R. MAYER, PhD, who was by
12 me duly sworn to testify to the truth and nothing but
13 the truth of his knowledge touching and concerning the
14 matters in controversy in this cause; that he was
15 thereupon carefully examined upon his oath and his
16 examination reduced to typewriting with computer-aided
17 transcription; that the deposition is a true record of
18 the testimony given by the witness; and that reading and
19 signing was waived.

20 I further certify that I am neither attorney
21 or counsel for, nor related to or employed by any of the
22 parties to the action in which this deposition is taken
23 and further that I am not a relative or employee of any
24 attorney or counsel employed by the parties hereto or
25 financially interested in the action.

Deposition of KENNETH R. MAYER, PhD 3-30-16 Page 142

1 In witness whereof I have hereunto set my
2 hand and affixed my notarial seal this 6th day of April
3 2016.

4
5
6 Registered Diplomate Reporter
Notary Public, State of Wisconsin

7
8 My commission expires
9 May 17, 2019

10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A	59:11;61:6;73:2,18; 80:15,20;87:4;96:21; 107:14,22;111:15	advising (1) 20:5	136:8	anomalous (1) 59:13
abilities (1) 4:23	actually (36) 7:3;8:25;16:24; 18:17;19:14;24:12; 28:4;31:9;34:25;36:9; 25:49;21:50;23:51;4; 52:9;53:18;57:16;58:6; 60:19;77:2;80:16;81:6; 17:87;25:91;4;96:19; 97:5,14,24;98:1;99:5; 101:18;112:3;115:12; 117:5,22	affected (3) 29:8,14;81:5	allowed (1) 135:7	apart (5) 42:10;43:2;47:25; 48:11,15
able (17) 8:20;19:5;23:13; 45:8;46:1;74:9;78:16; 80:1;83:13;98:15; 117:12;125:11;127:9; 130:12;133:15;136:14, 19	add (4) 102:16;107:4;115:9; 118:1	affecting (1) 121:20	allows (4) 53:19;70:12;72:6; 115:18	Appearances (1) 4:1
above (1) 93:7	added (4) 9:18;87:2;94:1;96:2	affidavit (1) 69:25	almost (5) 13:20;21:1;25:18; 26:23;42:18	appearing (1) 4:4
absence (2) 61:5;92:25	adding (3) 95:20,23;96:1	African-Americans (1) 62:3	along (1) 44:23	appears (3) 118:17;121:5;123:25
absolutely (1) 35:19	addition (1) 20:25	afternoon (2) 127:7,10	alternative (2) 92:17;127:4	Apple (1) 134:11
academic (3) 26:12;27:5;67:24	address (4) 98:9,10,13;104:24	after-the-fact (1) 9:22	although (10) 7:2;10:10;11:18; 12:11;40:2;68:18;71:1; 73:17;77:2;129:2	Appleton (1) 41:24
acceptable (1) 8:23	addresses (2) 98:6;99:8	Again (34) 7:23;10:22,23;12:16, 25:14;16:15;8;18:1; 21:2;23:7;25:4;27:19; 29:15;33:6;37:14;38:8; 44:20;48:17;55:2; 58:19;60:1;61:1,19; 65:18;75:23;80:11; 84:1;85:8,19;86:21; 94:8;102:24;116:9; 123:1	always (2) 40:15;46:18	applicable (2) 25:24;27:22
accepted (4) 27:2;37:14;44:13; 60:5	adjacent (16) 35:1,3,20;64:3; 70:13;72:5,7,15;74:12, 13,24;75:3;77:12,17, 25;78:11	against (1) 126:13	American (1) 69:2	application (1) 98:9
accepts (1) 70:11	adjoin (1) 127:5	aggregate (5) 51:1,5,13,22;136:11	amicus (1) 139:3	applied (5) 61:20;64:23;65:9,14; 116:2
account (19) 41:4;43:16;56:21; 80:21;92:6,9;98:3; 102:22;103:2,25; 104:2;108:12;111:11; 113:23;114:10,21; 122:6,21;125:7	adjoining (1) 140:4	aggregated (2) 50:22;52:12	among (1) 28:8	applies (3) 35:9;59:5;80:17
accounting (2) 44:6;86:8	adjust (2) 87:1;99:21	aggregating (1) 52:7	amount (1) 93:23	apply (7) 58:19;59:12,23; 62:23;63:1;65:19;68:4
accurate (8) 22:15;27:2;80:15; 93:18;94:3;119:17; 121:4;125:5	adjustment (1) 87:8	aggregation (2) 66:15;136:13	amounts (1) 115:15	applying (2) 27:21;117:23
accurately (3) 54:9,17,19	adjustments (1) 80:25	aggregations (1) 41:9	analogous (1) 65:17	appreciate (3) 127:21;130:5,7
achieve (2) 46:14;57:7	admissible (1) 126:16	aggressive (1) 17:16	analogy (1) 61:1	appropriate (3) 21:15;101:24;131:20
across (11) 21:22,23;22:25; 33:18;40:1;64:21; 74:16;82:4;86:24; 114:3;124:6	admit (2) 34:2;131:2	agnostic (1) 54:16	analyses (4) 21:24;35:12;75:13; 81:5	area (19) 24:22,23;26:4;36:3, 4,14,21;39:7,7;41:10, 21,23,24;42:24;44:4; 64:11,24;66:11;80:2
Act (39) 9:1;10:14,18;13:4, 12;15:3;51:1,21;53:22; 89:13;90:11;91:2,4,13; 92:3;93:8,16;95:13,21; 96:22;98:4;99:5,10,23; 101:3;103:24;104:1, 21;107:15;109:15,19; 111:5,11,19;115:4; 122:9;130:10,16;138:6	ADO (2) 79:1,2	ago (1) 64:15	analysis (70) 10:11,22;14:14,20; 16:20;17:3;18:17,20; 19:5;20:16;22:17; 27:18;28:16;29:14,16, 21;32:8;35:6;38:20; 40:25;41:1,9;42:12; 43:4;47:19,22;48:4,6; 49:15,22;50:2,19,23, 24;51:17,19;52:5; 53:17,23,25;54:2,17; 55:2,5;60:1,5;61:3,13, 18;64:11,23;65:21; 67:10;71:13;73:9,12; 75:25;76:12;92:21; 93:1,4;94:4,9;105:23; 117:24;129:24;130:16; 138:6,12;139:13	areal (2) 49:10;51:9
activity (1) 18:1	advance (1) 96:17	agree (5) 19:12,16;24:16;52:6; 130:1	analyze (4) 27:14;65:15;139:5,9	areas (25) 20:16;22:18;24:17; 27:24;36:9,12;37:12; 38:4;41:5,8,10,17,25; 43:15,16;45:1,5,6,7; 48:17;70:13;78:11; 106:19;132:14;134:24
actual (14) 8:9;17:18;58:20;	advantage (5) 15:2;51:23;57:7; 86:1;98:22	agreeable (2) 126:7;128:6	analyzed (4) 17:1;75:25;76:7,8	argue (1) 28:22
	advantageous (4) 15:3;17:23;20:2,7	agreed (1) 41:6	analyzing (7) 22:19;26:16,21;27:3; 74:24;75:1;92:2	argues (3) 41:16;50:9,10
	advantages (1) 58:14	agreeing (1) 126:19	and/or (1) 133:14	arguing (2) 55:12;61:24
		agreement (1) 14:24		argument (17) 10:3;21:4;22:4; 23:13;49:18;51:16; 53:11,13;55:3,11; 60:18;61:1,7,8,19,21, 21
		<small>aharless@campaignlegalcenterorg (1)</small> 4:5		arises (1) 64:18
		ahead (3) 93:4;126:11,24		around (5) 25:9;53:15;134:1;
		algebraically (1) 117:16		
		alleged (1) 13:21		
		allow (1)		

137:16;138:21 arrive (1) 116:13 arrived (1) 130:12 article (8) 57:15;60:17;65:2,8; 66:2;68:14;69:1,14 articles (1) 55:20 Assembly (25) 9:15;24:2;38:6,9; 44:22;59:6,23;60:22; 61:12;80:15,20;81:15; 82:24,25;83:2;87:4; 89:17;101:13,14; 114:23;115:24;120:2, 6;133:4,10 Assemblymen (1) 107:17 asserted (2) 18:24,24 asserting (1) 61:5 assertion (5) 18:22;22:2;30:11; 53:12;59:20 asserts (1) 22:9 assess (2) 55:20;68:13 assessed (1) 14:15 assessing (1) 68:10 assigned (1) 98:17 association (3) 64:20;65:7,19 assume (3) 122:23;123:5;125:1 assumed (1) 89:24 assumes (2) 92:15;104:20 assuming (1) 90:19 assumption (1) 10:4 assumptions (1) 25:4 asymmetrical (1) 75:15 attached (2) 69:20;70:25 attempt (1) 49:24 attempted (1) 54:1 attention (3) 7:9,11;67:20 Attorney (1) 139:3	attributes (1) 70:18 authors (1) 91:13 auto (4) 63:24;64:5;77:9; 79:12 automated (1) 65:4 automatic (1) 112:11 average (35) 11:15;21:17;22:24; 25:9;29:25;30:1,5,17; 31:5;32:2;34:3,6,7; 42:5,15;51:7;64:4; 66:12,17;75:8,9;81:25; 82:2,9,14,23;85:15; 87:18,19,24,24;88:8, 10,12;90:12 avoid (1) 41:17 aware (16) 6:11;11:4,10,15; 12:18;37:7;64:8,13; 65:13;66:23,25;67:19; 68:18;79:20,21,22 axis (1) 32:12	80:12;84:16;92:14; 93:12;95:21;96:14,15; 99:15,24;102:2; 104:20;108:24;119:4; 122:5;123:11;124:22; 132:15;133:2,21; 134:18 baselines (2) 93:15,20 basic (2) 76:2;132:13 basically (5) 72:6;77:10,13;82:21; 136:20 basing (1) 24:8 basis (9) 44:12;45:21;47:22; 50:13;62:20;85:11; 88:8,12;131:5 Baumgart (1) 11:20 became (2) 30:9;67:18 become (11) 27:25;30:11,12;32:9; 47:23,25;48:10;50:16; 66:25;79:20,22 becomes (1) 48:24 begin (2) 7:10;43:7 beginning (1) 83:10 behalf (1) 4:4 believes (5) 125:19,22;126:1,3,4 below (2) 50:17;93:7 benefit (1) 17:8 benefiting (2) 14:9,11 benefitting (3) 13:5,14,24 best (2) 4:22;92:16 better (4) 17:7;28:3,23,24 Bevins (1) 70:11 bias (12) 10:5;18:18;42:11,23; 56:7,8,14,24;59:16,18, 21;61:7 biased (2) 88:23;89:1 bigger (1) 42:3 bipartisan (1) 58:14 bit (6)	31:22;33:3,6;63:18; 78:20;88:20 black (1) 62:3 block (3) 90:22;134:9;135:9 blocks (2) 52:23;133:8 Blue (10) 23:20,22,23,25;24:6, 7,9,13;33:24;47:1 Book (6) 23:20,22,23,25;24:6, 9 Books (2) 24:7,13 both (27) 8:13;9:15;12:9; 17:12;19:1;30:9;38:18; 41:15;45:3;48:3,9,22; 54:10;55:11;57:13; 74:7;75:14;80:21;81:6, 13;82:5;84:2,2;96:5; 99:9;115:3;134:17 bottom (5) 34:24;110:25;111:2; 114:2,17 boundaries (4) 71:10;74:7;96:25; 98:15 boundary (1) 72:10 break (8) 34:16;63:12;84:20, 24;88:4,21;125:12; 130:21 bring (2) 111:20;127:8 bucket (1) 75:20 buckets (1) 75:19 building (1) 85:4 built (2) 25:5;52:22 Bureau (2) 69:9;70:24 Burke (1) 73:15	calculates (4) 70:13;79:8;81:18,19 calculating (4) 98:3;110:4;113:2; 128:24 calculation (14) 67:21;70:14;75:8; 81:17;87:25;88:1; 104:19,21;117:15; 119:9;122:20;131:12, 15;133:13 calculations (23) 9:19;11:10;29:9; 42:8;110:2;111:16,19; 114:25;115:23;117:9; 118:4,17;125:23; 127:8;128:3;129:5,9; 130:10,12;131:17,19; 132:1;138:17 call (2) 51:9;75:11 called (9) 4:8;78:25;79:1; 100:25;118:13,25; 134:3,3,20 calls (1) 131:11 came (1) 93:22 CAMPAIGN (2) 4:3;65:17 can (64) 5:5,6;7:4;11:25; 12:17;14:13;15:15,21, 21;17:24;18:4;20:13, 18;21:21;23:7,9;32:4; 33:20;34:15;40:5; 43:24,25,25;44:1,20; 45:4;48:17;51:12; 54:13;57:25;59:8; 60:13;65:19;66:5;68:4; 80:3;84:20;88:4,5,19, 20;98:11;100:9,10; 101:5;104:14;111:17, 20;112:25;115:17; 118:4,15;121:7; 125:19,21;126:14; 131:4,13,18;134:14,15; 135:12,25;136:21 cancels (1) 98:22 candidate (4) 46:1,4,19;81:2 candidates (7) 37:21,23;39:1,2; 132:18;133:4,11 captures (2) 64:4;70:17 careful (1) 29:17 carelessness (2) 28:19;29:19 carried (1)
	B			
	back (27) 23:18,21;24:3,4; 31:21;32:23;34:20; 63:15;72:1;80:5;83:8, 9,13,15;84:24;87:16; 89:10,11;91:22;97:5,6; 107:1;119:13;120:14; 127:8,19;130:24 backwards (1) 78:20 bad (1) 105:9 balance (1) 46:15 balanced (2) 40:1;45:9 Baldus (4) 13:10,12;90:19,25 barrier (1) 45:11 based (29) 9:19,21;16:1,3,20; 17:2,24;19:11;20:11; 23:5;34:12;39:4;40:2; 56:10;58:9;82:21;87:5; 89:1;91:17;94:25; 97:24;98:17;101:24; 104:3,19;106:17; 124:16;129:11;138:17 baseline (24) 8:13;9:8;71:1;72:25;			
			C	
			Calcs (1) 104:14 calculate (5) 78:22;79:23;90:8; 93:15;109:25 calculated (12) 9:21;19:17;25:13; 31:21;39:4,6;51:4; 54:10;66:12;70:8; 74:21;90:11	

99:25 case (27) 6:25;7:1;11:5,20; 12:7;13:10;21:20; 51:12;64:6,7,22;65:12; 66:21,23;67:19,20; 68:19;69:21,24;70:8; 78:23;90:25,25;96:5; 97:8;106:22;138:9 cases (1) 97:8 cast (2) 132:17;133:4 categories (1) 105:10 cause (2) 19:17;125:20 caused (1) 95:16 census (4) 20:12;52:22;85:18; 133:8 CENTER (1) 4:3 central (1) 41:16 centroids (1) 42:10 certain (6) 11:18;12:11;35:1; 46:11;63:1;113:3 certainly (3) 17:25;37:2;38:20 challenge (1) 60:7 change (15) 23:3,4;27:24;32:1; 33:20;47:19;90:9;97:7; 99:14;108:23;112:7; 115:9;117:1,21;129:12 changes (3) 51:7,8;93:6 changing (3) 47:18;130:14;137:2 characteristics (1) 23:10 characterized (2) 91:3;99:11 check (3) 107:2;119:13;121:16 Chen (7) 10:10;65:2,8,25; 139:3,5,18 Chen's (3) 138:5;139:14,24 cherry-pick (1) 32:5 choice (1) 100:18 chose (1) 98:1 chronological (2) 17:16,20	chronology (1) 17:25 cite (1) 26:18 cited (1) 60:17 cities (1) 37:7 city (13) 36:14,20;37:3,9,9,22, 22;39:17;40:9;133:5, 10;136:12,17 Civil (1) 16:5 claim (2) 18:13;22:8 claiming (1) 88:22 claims (1) 13:17 clarification (1) 71:12 clarify (1) 8:25 classic (1) 51:8 clear (12) 5:6;8:3,18;11:24; 14:2;15:11;16:9;17:4; 54:4;73:21;102:20; 121:3 clearly (1) 13:4 clicked (1) 134:11 close (6) 11:9;14:24;35:2,8, 24;44:10 closer (5) 40:16;47:4,9,24; 134:15 clustered (4) 44:14;49:19;55:10, 13 clustering (7) 27:16;49:5;61:4; 67:10;81:4;138:14,15 code (6) 29:11;72:1;135:17, 20,21;136:15 coded (1) 107:10 codes (1) 136:2 coefficient (1) 77:8 cognizant (1) 41:7 collapse (1) 136:8 colloquy (3) 127:1;128:17;130:25 color (3)	7:4;29:23,24 column (51) 83:3,5,20,23,25; 84:3;86:14;101:19,20; 102:4,5,10,13,22,23; 103:3,6,16,20;105:10, 15,21;106:15;107:4; 108:12,12,18;109:3,4, 7;110:9,13;111:2; 112:9,9;113:12,13,14, 18;114:14;116:12; 117:7;118:19;119:21, 25;120:5,11,14; 121:20;134:19,20 columns (6) 101:18,22;103:24; 105:5,6;134:14 combination (4) 90:6,15;106:20; 135:18 combine (1) 35:25 comfortable (1) 121:3 coming (1) 22:21 command (2) 72:4;79:1 commands (1) 71:25 commentators (3) 25:19;26:24;27:8 commit (2) 126:18;128:12 common (1) 92:14 compact (2) 43:24,25 compactness (6) 43:18,22,23;44:2,3,8 comparable (2) 44:5;86:23 compare (6) 21:21;47:14,15; 60:15;113:6;123:13 compared (3) 36:23;39:17;77:25 compares (1) 24:22 comparing (3) 22:6;47:13;124:5 comparison (2) 96:21;97:1 compel (1) 132:9 competitiveness (3) 26:6,14;29:4 completely (2) 48:7;51:6 complicated (1) 83:14 components (1) 39:11	comported (1) 9:3 composition (2) 86:9;90:14 compounds (1) 42:25 comprise (2) 85:20,21 computer (7) 6:17;100:3,9;133:23, 24;134:6;135:24 conceding (1) 132:3 concentrate (1) 36:12 concentrated (8) 32:9;37:17;41:2; 45:11;55:4,9;58:23; 85:10 concentration (20) 10:3;35:7,17;37:15; 44:13;53:20;55:8,23; 56:5;58:21;60:4,19; 61:23;63:19;64:14; 65:6;68:10;75:22,23; 79:14 concentrations (1) 37:13 concept (1) 25:11 conceptual (1) 74:21 concludes (1) 140:2 conclusion (4) 58:17,18;68:9;91:11 conclusions (2) 35:7;56:18 conduct (1) 49:22 conducted (2) 50:2;68:8 conducts (2) 35:6;40:24 confirm (2) 127:18;129:2 confirmation (1) 138:12 confirming (1) 129:25 conform (1) 52:15 confronted (1) 128:8 congressional (21) 21:1;25:15,20,24; 26:4,7,14;56:12;57:1; 58:9;59:5,10;60:6,9, 23;61:11;62:18,19,24; 63:2,5 conjunction (2) 110:6;112:24 connected (2)	72:11;137:9 consequences (1) 14:16 consider (4) 9:11;10:9;15:13; 92:12 considered (3) 56:3;96:10;108:7 consistent (2) 33:18;60:19 constant (2) 115:9;116:2 constitutes (1) 28:21 constitutional (1) 9:4 consult (1) 67:9 contain (2) 23:25;119:7 contains (3) 23:23;24:10;113:25 contest (1) 12:22 contested (7) 81:3,12;90:20;92:16; 93:14;104:22;122:4 contesting (1) 12:16 contest (7) 25:21;26:16;27:19; 56:3,4;59:7;66:16 contexts (3) 27:21;61:9;68:6 contiguous (2) 58:10;72:9 continue (4) 5:10;101:19;126:24; 127:25 continued (1) 4:1 continues (2) 13:7;91:10 Continuing (2) 13:2;18:5 contracts (1) 64:17 contradicts (1) 30:10 contributes (1) 82:23 contribution (1) 82:14 contributions (1) 65:17 control (10) 16:19;42:23;43:11, 12,13,21;55:25;56:21; 85:19;86:22 controlled (2) 14:19;84:17 controlling (4) 56:2,6;57:8;85:23
---	--	--	--	---

<p>conventions (1) 135:11</p> <p>Cook (1) 26:5</p> <p>coordinate (1) 98:10</p> <p>copy (4) 6:23,24;29:23;54:14</p> <p>copy-and-paste (2) 112:13,14</p> <p>core (2) 19:11;52:4</p> <p>corrected (8) 111:22;125:23,24; 126:6;127:6;128:2; 129:11,22</p> <p>correction (1) 128:10</p> <p>corrections (2) 119:14;120:24</p> <p>correctly (6) 29:21;31:25;99:9; 110:7;122:17;125:8</p> <p>correlate (3) 74:14,16;76:19</p> <p>correlated (1) 6:19</p> <p>correlates (1) 64:2</p> <p>correlation (12) 63:25;64:5;65:23; 72:14;75:1;77:7,10; 78:1;79:13;94:15,16, 17</p> <p>Correspondence (1) 134:3</p> <p>corresponding (1) 116:19</p> <p>coughing (1) 92:7</p> <p>counsel (19) 5:23;6:4,16;67:1,12; 79:21;98:6;100:5; 127:1;128:17;130:18; 131:1,4,5,9,18;132:2; 137:10,18</p> <p>count (4) 72:12;82:17;107:8,9</p> <p>counted (3) 72:8;99:2;106:25</p> <p>counties (3) 37:8,20;52:11</p> <p>country (4) 22:18;62:10,11,14</p> <p>county (14) 23:17,22;25:10; 37:13,13,14;38:5,10; 39:18;41:19,22; 135:16,18;136:12</p> <p>couple (1) 16:15</p> <p>course (1) 64:17</p>	<p>court (10) 11:12,19;12:10;20:3, 7,10;69:25;97:11; 126:15;139:4</p> <p>co-vary (1) 78:9</p> <p>cover (1) 132:14</p> <p>cracking (2) 14:21;92:3</p> <p>create (1) 93:19</p> <p>created (3) 70:23;75:4;89:25</p> <p>credible (1) 14:7</p> <p>crippling (1) 42:23</p> <p>criteria (2) 36:6;62:7</p> <p>criticism (9) 35:14;49:8,11;53:17, 22;61:4;88:24;89:3; 92:22</p> <p>criticisms (1) 53:22</p> <p>critique (2) 53:11;61:19</p> <p>crucial (2) 43:12;96:14</p> <p>current (1) 58:6</p> <p>cycles (1) 90:16</p>	<p>13:15,19;16:2</p> <p>decisions (2) 12:15;46:10</p> <p>declaration (3) 69:20,24;139:16</p> <p>decreased (1) 124:21</p> <p>defendant (1) 131:25</p> <p>defendants (3) 131:14;133:12,14</p> <p>defendants' (1) 131:22</p> <p>defense (5) 64:17;131:8,17,24; 132:2</p> <p>define (1) 15:15</p> <p>defines (1) 16:17</p> <p>definitely (1) 87:9</p> <p>definition (1) 55:25</p> <p>degree (4) 36:11;37:24;63:25; 68:10</p> <p>Dem (12) 110:18;112:5; 113:14;116:6,12; 117:4;119:21;120:4, 15,16;121:19,20</p> <p>Democrat (17) 24:17;76:5;77:11,12; 81:10,19;87:20,20,24; 88:6,8,16;101:21; 103:9,15;122:21,22</p> <p>Democratic (117) 25:2;27:25;29:6,6, 25;30:1,2,8,9,11,12,14; 33:17,22;34:3,3,6,6; 37:10,23;38:4,15,25; 40:6,16,17;42:5,17,20; 43:3,7,10;44:10,17,18, 22;45:12;46:1,4,12; 47:4,8,11,23,23;48:9, 10,15,20;49:1;50:3,16; 51:22,25;52:1;54:24; 56:4;62:5;71:14,17,19; 72:18,20;75:2,22;76:6; 78:8;81:20,22,25;82:7; 83:3,4;85:16;86:2,7,15, 20;87:18,21;88:9; 97:18;98:19;101:14, 16,25;102:14,17;103:5, 16;106:10,17;107:15; 108:1,4,17;109:3; 110:3;113:11;115:11; 119:22;120:8,16; 121:13;122:23,24; 123:4,15,19,23;124:2, 3,17;125:2;132:18; 133:4,11</p>	<p>Democrats (51) 13:6;22:3;30:2;32:8, 17;36:12,18;37:4,17; 38:22;41:2;44:14; 45:11,17,23;47:1;49:6, 19;51:25;54:23;55:3,9, 13;57:7;58:5,8,13,22; 66:16,19;75:12;76:17, 18;77:5,14,23;80:10; 81:7,18;83:24;84:1,7, 11,13;85:9;86:22; 106:21,23,24;138:15, 20</p> <p>Democrat's (1) 123:6</p> <p>Democrats-only (1) 51:3</p> <p>demographic (1) 62:12</p> <p>demographically (1) 88:16</p> <p>demographics (1) 68:6</p> <p>demonstrate (2) 18:21;32:6</p> <p>demonstrated (3) 55:6;92:24;97:3</p> <p>demonstrates (2) 49:22;93:5</p> <p>demonstration (41) 8:1,4,7,12,18;9:10, 12,23;18:10;20:6,11; 52:17;53:4;93:9,16; 94:25;96:23;98:15; 99:10,19;104:19; 105:2,24;106:4,11; 107:5,16;108:8;113:3, 22;114:21;115:3; 118:18;119:9,23; 120:2;121:24;122:9, 10;125:6;129:16</p> <p>Dem-Rep (4) 83:20,20;84:3;86:14</p> <p>denials (2) 14:4,5</p> <p>denied (1) 139:4</p> <p>densely (6) 36:2,8,14,20,22,24</p> <p>density (2) 37:1;50:8</p> <p>depend (1) 96:12</p> <p>dependent (1) 74:1</p> <p>depending (4) 25:5;112:7;125:1; 127:23</p> <p>deposition (16) 5:14;6:10;12:6;14:4; 89:15;90:4,18,19,23, 24;96:13;127:5;128:5; 132:2;134:2;140:2</p>	<p>depositions (2) 5:19;14:3</p> <p>describe (8) 8:4;16:25;25:20; 27:8;41:21;60:14; 81:24;101:19</p> <p>described (1) 91:5</p> <p>describing (2) 12:6;27:15</p> <p>descriptive (2) 26:13,13</p> <p>designate (2) 107:1;125:8</p> <p>designation (2) 104:22;121:10</p> <p>designed (4) 13:4,13;15:1;60:14</p> <p>designing (1) 16:12</p> <p>desktop (1) 107:23</p> <p>detail (1) 7:6</p> <p>determination (1) 97:15</p> <p>determine (6) 16:13;65:23;74:11; 108:10;121:1;133:15</p> <p>determined (4) 50:21;73:11;77:24; 104:25</p> <p>developed (3) 26:6;59:12;70:10</p> <p>difference (20) 11:2;29:7,8;32:13; 39:16,23,25;40:3;45:4; 48:22,25;73:18;93:24; 96:4,7,9;125:1;126:5; 129:4;138:20</p> <p>differences (6) 20:12;22:12;47:20; 48:17;86:8;126:4</p> <p>different (49) 11:21;12:20;17:5,9, 16,21;21:19;25:11; 28:20;29:3;35:18;41:5, 8,8,10;43:17;45:4; 46:10;51:2,11,13; 55:20;56:18;62:15; 64:19;77:1;78:25; 85:20,20,21;88:2; 93:19,22;94:15,17; 96:25;98:24;100:13, 15;101:15;109:17,18; 111:16,25;115:19; 117:16;132:14;134:24; 136:13</p> <p>differential (1) 138:14</p> <p>differently (1) 11:21</p> <p>differs (1)</p>
	D			
	<p>data (35) 6:20;21:25;23:18,22; 24:2;33:24,25;49:23; 56:10;59:10;70:19; 71:1,3;72:19,23;74:7, 9;78:19;80:7,9,10,23; 83:14,16;87:2,5; 122:13;131:16;133:12, 13;134:3,4,9;135:6; 136:11</p> <p>day (1) 130:6</p> <p>D-Dinc (2) 103:17;109:4</p> <p>dealing (6) 47:12;51:10;61:11, 12;117:19;135:11</p> <p>dealt (1) 126:17</p> <p>decade (1) 12:5</p> <p>decades (1) 23:21</p> <p>December (2) 67:15;137:11</p> <p>decision (3)</p>			

6:6 difficult (4) 35:25;44:21;120:25; 121:9 difficulty (1) 78:17 direct (5) 7:9,11;30:6;96:21,25 directed (1) 57:1 directory (1) 111:20 disadvantage (1) 58:8 disadvantaging (1) 13:6 disaggregate (1) 135:8 discipline (1) 99:4 disclosed (2) 6:21;133:19 discount (1) 20:16 discovery (3) 131:21;133:17,25 discrepancies (2) 125:17,21 discrepancy (3) 111:13;114:12;126:2 discussion (2) 89:13;127:20 dispersed (1) 22:3 disproportionate (1) 41:18 dispute (3) 39:21;44:11;52:3 disputed (1) 19:1 disputing (1) 54:9 distance (8) 35:15;42:8;44:3; 47:12;48:12,18,21,24 distances (5) 43:14,15;44:7;48:13, 25 distributed (5) 22:9,11;49:20;77:23; 138:21 distribution (13) 7:15;8:10;22:7;25:8; 50:10;53:8,9,15;58:7; 61:23;64:16;77:13; 84:9 distributions (2) 22:15;54:21 district (78) 9:24;25:15,16;26:4, 7;36:1;38:5,14;43:24, 25;44:1,2,5,17,22; 45:24;46:9,9,13,18;	50:23;52:2,15;81:3; 90:11;93:13;96:24; 99:18;101:13,20; 102:4,7,9,12,25; 103:10,15;105:16,18, 19,22,25;106:2,5,12; 107:6,18;108:5,17; 109:7;110:8;113:9,17; 115:10,12;116:6,10; 117:3;119:23;120:2,4, 6,17,20;121:18; 122:24;123:3,6,14,18, 24;124:2,6,9,14,23; 125:9;128:24 district-by-district (1) 62:20 districted (5) 9:12;35:4;45:24; 107:18;108:4 districting (14) 16:12;27:14;38:3,9, 9,13;39:14;43:18; 46:10;52:7;57:8;58:16; 92:11;139:10 district-level (2) 89:13;91:2 districts (44) 21:2;25:20,24;26:15; 44:8,17;45:9;46:11,16; 50:22;51:1,6,15,19; 52:8,12,14,19,22,25; 53:2,10;56:13;58:10; 59:24;60:14,16,23; 62:18;63:3;89:17; 96:19,21,22;98:16; 99:7;106:14;107:10, 11;110:1;112:13; 114:4;122:11;125:3 docket (1) 69:19 document (18) 69:3;101:4,8,10,11; 103:23;104:14,17; 107:20,23;109:22; 118:6,7,15;129:21; 130:15;137:8;138:5 documents (6) 6:12,15;17:10;124:6, 12;137:8 done (19) 14:6;15:1;18:17,20; 20:11;21:24;25:1; 35:13;43:21;52:5; 64:15,16;65:25;82:4,5; 92:9;115:8;122:4; 138:18 dotted (3) 46:21;47:14,20 Doug (1) 6:8 down (15) 28:11;31:10,12,14, 22;32:20;33:3;97:16;	102:12;110:25;113:17; 117:17,19;134:15; 135:8 Dr (4) 54:17;128:16;138:3, 5 draw (9) 7:22;8:18;9:2;15:9; 20:6;51:12;52:11; 58:25;59:9 drawing (5) 9:10;12:12;23:8; 46:16;108:8 drawn (9) 10:4,7,15,25;12:13; 19:19;20:10;52:4,14 drew (6) 13:18;14:6;16:24; 52:17;58:12;91:19 D-Rinc (3) 103:6;108:18;113:18 drive (1) 81:11 drives (1) 81:13 driving (1) 8:19 Dropbox (1) 134:1 Ds (1) 107:13 duly (1) 4:8	effects (5) 16:21;18:3,4;27:11; 115:18 efficiency (73) 7:14;8:8,13,17,19, 22;9:1;10:6,13,16,17, 21,23,24;11:3,3,11,15; 12:19,23;15:10;18:7,9, 14,15,22;19:1,3,7,9,10, 16,21;21:16,17;22:19, 21,23,24;23:6;31:21; 39:4,5,9,12;46:7; 56:15;91:17,25;93:6,8, 10;95:1,4,8,13,16,19; 96:2;100:25;109:25; 110:4;111:5,10,15; 113:2;114:7,9;118:16, 20;119:9;125:6;129:5 EG (2) 109:15;112:23 either (9) 18:18;35:17;38:3; 81:9;91:10;99:11; 121:13;133:19;139:23 election (24) 25:5,6;28:25;29:1; 32:3;33:23;49:24; 50:12,13,18;51:3,25; 54:3;73:10,13,16; 90:16;93:14,19;94:17, 18,21;97:4;134:9 elections (14) 10:8;12:20;29:2; 50:20,21;57:19;59:10; 62:19;92:1;94:7,10,13, 14;97:25 electoral (1) 115:19 else (3) 16:6;136:5;137:1 email (4) 67:1,8,11,13 empirical (3) 27:11;42:1;46:16 enacted (4) 11:12;15:4;20:1,3 end (3) 17:22;68:15;117:13 ending (2) 34:12,12 enough (2) 15:23;63:2 entirely (1) 35:23 entitled (1) 138:5 equal (12) 36:13;37:18;42:9; 44:15;45:18;55:10; 58:24;77:24;82:17; 84:7;85:10,25 equality (1) 9:3	equally (4) 45:13,14,20;131:13 equals (1) 116:16 equivalent (10) 34:10;37:12;77:7,21; 82:2;90:5,7;104:18; 120:15;130:13 error (6) 28:17;29:11,13; 112:2,3;128:24 errors (4) 28:12;29:17;127:2; 128:18 essentially (13) 23:16;44:4;48:7; 50:8;52:22;56:12; 61:19;74:10,14;75:1; 79:3,3;82:13 established (1) 14:18 estimate (1) 102:2 estimates (4) 89:14;90:11;91:3,4 evaluate (1) 92:17 evaluating (3) 26:8,9;92:11 even (20) 12:4,23;18:19;19:4; 22:14;27:23;32:13; 34:9;37:8;54:18,23; 56:1,5,20;78:7;93:5, 10;94:2,2;121:1 events (1) 14:2 Eventually (1) 52:24 evidence (8) 10:15;14:8,11,13; 17:11;18:23;61:6; 91:15 evolution (1) 14:13 evolved (1) 12:8 exact (2) 50:11;90:14 Exactly (1) 103:11 exaggerate (1) 84:12 EXAMINATION (2) 4:13;138:1 examine (1) 115:18 examining (3) 16:11;66:13,15 example (10) 10:10;16:17;33:21; 38:4;41:19;46:12; 49:23;51:8;113:9;
		E		
		earlier (8) 30:22;91:21,22; 96:13;104:25;119:12; 130:6,18 easier (2) 90:7;136:7 easiest (1) 81:24 easily (1) 44:16 easy (1) 82:10 Economic (1) 69:10 editions (1) 23:25 Edward (1) 69:6 effect (22) 7:21;18:7,8,21;19:6; 42:8;48:18;55:20; 56:14;60:25;84:9;93:1, 7,11;96:23;97:6;105:3; 119:24;120:3;126:23; 128:11,13 effective (1) 95:7		

132:20 examples (2) 14:20;92:2 Excel (6) 100:4;101:1;109:13; 133:19;136:4,9 excessive (3) 39:16,21,24 exclusively (2) 25:19;26:24 exercise (1) 32:16 Exhibit (85) 4:10;6:23;7:2,3; 54:15;57:14,14;58:1; 67:5,7,12;68:22,24; 69:19;100:16,21,23; 104:9;105:6,7,24; 106:5;108:10;109:10, 12;110:5,7,12,16,17, 21,22;112:4,15,18,19, 25,25;113:6,7,10,14, 21;116:4;118:8,10; 119:3,7,8,12,20; 120:19;121:4,5,6,17; 122:3,7,10,11,12,13; 123:2,14,14,18,22; 124:1,2,8,10,13,16,17; 128:22;129:3,8,11,22; 130:14,15;137:4,5,7,13 exhibits (2) 124:11;129:13 exist (2) 59:15;78:11 expect (4) 57:8,9;81:2;120:16 expected (1) 114:22 expense (2) 131:13,21 experience (4) 15:23;17:2,3;68:7 expert (6) 5:15;12:7;15:13; 131:12,21,23 expertise (1) 15:20 experts (6) 13:18;15:25;16:23; 131:15,24;133:14 experts' (1) 131:22 explain (13) 20:18;29:24;40:21; 49:8,11;63:22;70:7; 104:17;109:22;115:6; 125:20,21;128:19 explaining (2) 59:20;85:2 explanation (1) 18:15 exposed (1) 66:10	exposure (1) 66:8 extent (5) 84:12;99:10;100:6; 104:5;139:8 extract (2) 135:20,21 extreme (3) 41:25;91:12,16	130:4 federal (10) 11:12,19;13:3,9; 15:18;17:13;20:3,7,10; 134:21 feel (1) 113:5 few (1) 137:8 fewer (3) 62:23,24,25 fields (1) 112:11 figure (8) 29:22,24,25;46:20; 50:7;54:5;82:10;85:17 figured (1) 82:12 figures (1) 37:1 figuring (1) 88:17 file (28) 70:12,15,16,17,21, 23;71:5,8;72:3,20,23; 100:24;101:1;111:16, 17;133:20,24;134:4,6, 8,9,11,25;135:2,2,5,7, 15 filed (4) 69:20,20;70:1,3 files (13) 6:18;17:1,13,15; 78:16,18;79:1,1,2; 100:14;107:22;133:18; 135:7 filing (3) 69:24;70:3;139:4 find (5) 14:7;43:1;58:22; 80:1;111:17 finding (3) 13:23;78:17,18 finds (2) 56:5,22 fine (3) 63:13;130:22;132:7 finish (2) 5:11,12 FIPS (14) 134:20,20;135:2,6,9, 12,17,18,18,19,19,21; 136:2,15 F-I-P-S (1) 134:20 first (23) 4:8;7:20;10:2;11:8; 12:6;20:15;41:3;52:14; 57:25;64:20;67:16,18; 72:2;73:21;75:21; 83:20,23;92:1;94:13; 101:2;134:2,19;137:16 fit (1)	36:5 five (1) 60:10 five-minute (1) 84:20 flip (1) 34:22 flipped (1) 33:22 focus (5) 13:7;18:2,3;51:19; 66:6 focusing (1) 51:18 folders (2) 111:23;134:2 follow (1) 91:11 following (1) 31:8 follows (1) 4:9 foothold (1) 59:19 footnote (5) 6:19,19;73:24;79:17; 97:22 forecast (2) 102:2;119:22 form (5) 6:20;21:18;39:19; 95:18;132:13 formed (1) 71:3 forms (1) 61:2 formula (2) 79:3;112:2 forward (1) 34:22 found (13) 10:13;13:17;14:20; 26:11;47:4,8;55:24; 56:11;57:6;79:25;80:2; 81:22;92:2 foundation (1) 48:3 foundational (1) 10:4 four (2) 60:10;121:17 fraction (1) 81:22 frame (1) 74:21 free (1) 113:5 front (1) 17:12 full (2) 34:10,23 fully (1) 103:23	function (1) 48:16 functions (1) 136:7 fundamental (1) 58:6 further (2) 97:16;128:4
G				
	face (1) 41:19 fact (28) 11:23;12:12;13:25; 14:18;16:6;19:1,4; 27:4;29:2;36:8;39:6; 41:5;42:4,24;43:11,16; 47:11;48:4,13;51:20; 54:23;55:6;56:18; 71:20;85:19,23;92:23; 93:21 factor (2) 43:18;102:3 factored (1) 95:3 factoring (2) 96:1;122:20 factors (1) 55:21 factual (1) 91:18 faculty (1) 69:8 failing (3) 41:4;42:23;43:11 fair (1) 58:12 fairly (3) 8:22;11:1;81:17 fall (1) 33:16 fallen (1) 75:18 familiar (5) 43:5;69:7;136:10; 139:11,11 far (5) 23:18;24:4;32:9; 41:2;83:15 farther (6) 42:10;43:2;47:25; 48:11,15;83:13 favor (9) 12:3,24;19:11;22:25; 36:17;37:4,20,23; 112:3 favorable (3) 37:25;49:20;53:14 favorably (1) 22:3 feasible (1)	Gaddie (12) 17:14;89:21;90:1,4, 10,18;93:21;94:6,10, 16,18;96:17 Gaddie's (5) 14:4;89:13;90:23,24; 91:3 gained (1) 58:14 gap (71) 7:14;8:8,13,17,19, 22;9:1,6,7;10:7,13,16, 17,21,23,24;11:3,3,11, 15;15:10;18:7,9,14,15, 22;19:1,3,7,9;21:16,17; 22:19,21,23,24;23:6; 31:21;39:4,5,9,12; 46:7;56:15;91:17;92:1; 93:6,8,10;95:1,5,8,10, 13,16,19;96:2;100:25; 109:25;110:4;111:5, 10,15;113:2;114:7,9; 118:16,20;119:9; 125:6;129:5 gaps (5) 12:19,23;19:10,17, 21 gather (1) 6:15 general (4) 61:20;66:22;80:2; 122:19 generalizable (1) 68:4 generalized (1) 65:18 Generally (2) 11:6;26:3 generate (2) 59:15;129:21 generated (6) 65:22;89:2,25;91:4; 115:25;121:8 geocode (1) 99:7 geocoded (2) 98:8;104:23 geocoding (1) 105:22 geographer (1) 70:10 geographers (2)		

28:8;51:9 geographic (36) 7:14;8:9;10:2;24:22; 25:11;27:16;41:8; 43:15;44:13;49:5; 53:14,20;54:21;55:7; 58:7,10,21;59:21;60:3, 18;61:3,7;63:19;64:11, 16,24;66:11;70:16; 71:6;72:22;79:14; 98:10;132:14;134:24; 138:13,14 geographically (1) 138:21 geography (25) 18:7,9,13,19,25; 19:3;20:20,21;21:6,7, 10;23:3;26:10;27:18; 35:10;41:6;51:11,14, 15;64:5;66:14;68:13; 69:2;70:18,20 gerrymander (7) 16:18;53:16;56:1,1; 91:12,16;138:16 gerrymandering (5) 19:18;23:5;55:21; 58:16;60:25 gerrymanders (1) 56:23 gets (3) 76:7,8;117:22 GIS (6) 70:16;71:8;74:9; 83:12;98:11;107:22 given (4) 29:1;38:22;79:9; 111:24 gives (5) 49:23;77:1;92:16; 98:12;102:1 Glaeser (2) 68:14;69:6 Global (11) 63:23,24;64:8,10; 65:14,22;70:7;75:14; 79:24;80:18;82:6 goal (1) 8:19 Goedert (12) 5:16;16:17;18:6; 49:18;50:9;51:17;54:9, 17;55:11,19;61:5; 92:23 Goedert's (13) 49:7,9,15;53:11,23, 25;55:2,14,15;59:9; 60:18;61:14;62:22 goes (12) 24:3;30:20;31:9,12, 14,21;32:12;33:3; 77:14,18;81:12;95:13 Good (5) 4:15,16;5:9;15:11;	63:12 government (1) 16:19 governor's (3) 28:15,16;73:15 graph (13) 29:25;30:14,20;31:4; 32:4,10,12;34:5;48:2; 50:8,14,15,16 greater (3) 46:14;54:24;55:1 GREENWOOD (2) 125:11,14 group (6) 66:10,11,13;75:16; 85:24;86:9 groups (1) 85:21 guarantee (2) 41:1;46:17 guarantees (1) 43:1 gubernatorial (3) 28:23,25;73:13 guess (9) 67:23;70:6;102:12, 16;103:22;116:13; 117:5;130:14;131:4 guts (1) 74:5	headings (1) 105:4 heard (1) 67:16 heavily (3) 44:17,18,22 held (1) 121:25 helpful (3) 26:21;27:23;112:24 here's (1) 114:14 hesitating (1) 20:9 heterogeneous (1) 36:2 high (2) 78:10;93:25 higher (6) 31:22;38:23;82:15; 85:25;86:3,6 highly (2) 44:10;78:12 Hispanic (1) 62:4 histogram (2) 50:6,9 hockey (1) 48:1 home (4) 127:4,6,8,15 homogeneity (1) 45:7 homogeneous (4) 36:9,12,17;37:4 hour (4) 6:2;63:10;127:17; 139:17 hours (2) 6:3;127:17 huge (1) 68:5 hypothetical (6) 10:9;26:8;62:1; 92:17;96:22;97:13 hypothetically (1) 76:5	134:6,23;135:16,22; 137:7 II (1) 7:8 III (1) 88:22 imagine (4) 53:6;76:24;102:19; 136:9 impact (1) 46:6 import (2) 71:8;136:5 important (1) 46:5 inappropriate (1) 22:6 inappropriately (1) 27:20 Inc (3) 104:14;109:15; 112:23 include (1) 44:21 included (3) 63:6;119:15;135:5 inconsistent (3) 60:21;61:8,10 incorporated (1) 12:13 incorporates (1) 70:19 incorrect (5) 27:16;55:12;61:25; 71:16;93:2 incorrectly (1) 18:24 increase (2) 123:7,10 increased (4) 34:4,7,8;95:7 increasing (1) 115:15 Incumbencies (1) 100:25 incumbency (36) 9:19;92:6,8,12,22, 25;93:5,11;95:4,7,20, 23;96:1,1,23;97:3,5; 98:4,17,22;99:21; 102:3,21;103:2,25; 104:1,24;105:3; 108:11;113:23;114:10; 119:23;120:3;122:6, 21;125:7 incumbent (54) 8:14;9:7,23;98:7,13, 16,18,20;99:3,12,18, 24;101:16,17,21,25; 102:1,5,6,13,14,21; 103:1,9;104:23; 105:15,16,18,19,21,23; 106:3,11,22;107:12;	108:13;109:2;110:8; 112:8;113:10,12; 120:17,20;121:1,14,21; 123:5,10,23;124:9,14, 22;125:2;128:25 incumbents (34) 9:11;90:20;92:15,19; 93:13;96:18;97:18,19, 25;98:5,24;99:6,13; 102:17,18;104:24; 107:5,9,10,15,17; 108:1,4;111:6,11; 114:20;118:13;119:10; 121:25;122:4,14; 125:8;129:7,16 incumbent's (1) 122:23 independent (1) 59:14 index (28) 24:16,21;25:1;28:9; 63:11;66:6,7,8,23,25; 67:16,21,25;75:15; 78:21,22;79:2,5,13; 80:6;81:16;83:11,22; 84:5,10,18;85:1; 138:19 indicate (1) 121:13 indicated (1) 138:13 indicates (2) 114:6;123:22 indicating (2) 85:9;138:17 indication (5) 8:25;17:4;59:18; 69:8;77:22 indicator (4) 28:18;29:20;49:3; 135:13 indicators (4) 28:3,6,7,7 individual (6) 75:5,8,16;82:13,23; 87:3 individuals (1) 91:19 inference (6) 21:12;23:1,8;58:25; 59:8,11 inferences (6) 22:13;27:1,11;51:11; 53:19;54:20 inferential (1) 39:10 influence (1) 41:18 inform (2) 100:23;138:8 information (21) 6:20;23:9;28:4;35:8; 49:5;53:18;59:2;60:3;
	H			
	half (15) 6:2;31:5,15,18; 32:25;33:7,10,14; 48:20;95:10;115:14; 117:22;118:2;127:17; 139:17 hallmarks (1) 18:1 hand (3) 76:22;100:23;104:11 handle (1) 136:19 handled (1) 98:21 handy (1) 104:5 happened (6) 52:16;80:16;97:24; 120:21;128:21;129:1 happening (5) 20:23;23:8,10,14,15 happens (2) 75:7;78:3 harder (1) 100:11 Harvard (1) 69:9 head (6) 11:14;17:19;36:7; 57:12;85:12;107:19	I		
		idea (2) 45:17;56:16 identical (1) 52:3 identification (10) 4:11;67:6;68:23; 100:22;104:10;109:11; 112:20;118:9;129:6; 137:6 identify (14) 26:18;67:7;68:24; 98:16;112:25;118:15; 132:16,22;133:3;		

61:15;70:17;71:23; 74:10;86:25;91:13; 96:15;97:2,4,6;98:14; 129:15;134:21 initial (4) 6:25;92:4,10;108:24 initially (1) 108:8 input (5) 70:11;72:2;80:7; 112:10;115:25 inputs (2) 71:3;134:18 insight (1) 21:3 insisting (1) 32:8 instance (3) 60:22;97:12;103:5 instances (2) 65:13;80:24 instead (3) 28:14;94:14;117:20 intended (1) 55:16 intending (1) 11:20 intends (1) 131:9 intent (7) 7:20;14:7;16:12,22; 17:6;18:2;19:22 interest (2) 21:21,22 interested (3) 21:9;23:11;44:7 interpretation (3) 16:22;56:25;87:19 interpreting (1) 15:13 interrogatories (4) 131:1,10;132:12; 134:7 Interrogatory (3) 132:20,25;133:15 interstate (1) 21:24 into (58) 5:17;6:22;7:6;12:13; 25:5;33:16;45:19; 46:18;50:22;51:1,6,14; 52:8,12,24;53:9;56:21; 63:11,21;65:24;66:20; 70:22;71:3,8;74:5; 75:18;80:8;82:12;92:6, 8;93:1,11;98:10,11; 102:22;103:2,25; 104:2;105:3;107:14; 108:11;110:4;111:11; 113:23;114:10,20; 117:2;119:24;120:3; 122:6,21;125:7;130:6, 9;132:25;135:6;136:5;	137:3 intro (1) 4:18 introducing (1) 42:22 inverses (1) 76:14 invoices (4) 137:10,12,15,17 irrelevant (2) 20:18;21:5 isolated (1) 58:23 Isolation (35) 28:9;55:8;58:21; 63:11;66:6,7,8,23,25; 67:16,21,25;75:15; 78:21,22;79:2,5,13; 80:6;81:16;83:11,22, 23,25;84:5,10,13,18; 85:1,25;86:3,6,19,20; 138:19 issue (12) 10:24;13:25;16:21; 23:7,17;26:22;39:3; 40:20,24;58:15;65:5; 126:5 issues (3) 14:17;16:4;64:18	K Keenan (36) 4:14;34:15,19;54:7; 58:2;63:9,15,17;67:3; 84:23;89:5,9;100:13, 19;104:7;110:22; 112:17;125:13;126:9, 12;127:12,21;128:8, 15;130:20,24;132:3,8, 11,21;133:1;136:3; 137:4,20;139:2,20 keep (2) 32:11;104:5 Keith (1) 90:1 KENNETH (1) 4:7 kept (1) 6:17 kernel (1) 50:8 key (1) 46:5 kind (4) 18:1;21:23;66:21; 83:18 kinds (1) 14:14 knew (2) 99:6,6 knowing (1) 71:14 knowledge (1) 71:20	L labeled (1) 109:15 Lanterman (1) 17:15 large (16) 7:13;8:8;9:1;12:23; 17:7;18:18;19:2,10,21; 42:16,19,19;43:25; 44:2;51:21;93:9 largely (2) 16:20;56:3 larger (13) 39:22,23;42:9;48:20; 51:14;56:7,8;66:15; 82:15,16,20,22;122:25 last (15) 5:25;6:8;34:23; 60:11;68:15;89:14; 91:9;97:21;101:18,22; 110:20;114:2;116:5; 118:19;134:1 late (1) 130:19 later (1)	7:7 latitude (1) 98:10 laughable (2) 13:20,22 lawsuit (1) 12:9 lawsuits (2) 15:14,16 lawyer (1) 7:23 layperson's (1) 83:19 lead (2) 12:23;35:6 lean (3) 35:16;48:14,16 leaves (1) 58:7 led (1) 68:8 left (1) 48:1 leftward (1) 118:11 LEGAL (3) 4:3;16:2;128:10 legislative (11) 16:22;21:3;25:15,21, 25;26:16;38:25;39:2; 57:4;65:24;70:24 legislature (3) 19:25;20:5;46:6 legislatures (2) 16:12;39:15 less (7) 20:2,6;31:9;37:25, 25;42:16;56:16 level (17) 25:1,12;26:10,14; 37:21;49:25;50:21,24, 25;51:12;52:2;64:6; 66:22;79:11;134:8; 135:9;136:11 leveled (1) 92:23 levels (5) 35:7;38:23;51:11,14, 14 likelihood (2) 66:9;79:8 likely (11) 35:3;38:6,10,13,14; 44:10;77:16,19,20; 79:4;129:12 Likewise (1) 35:23 limited (2) 68:6;128:4 line (13) 13:15;31:9;33:24,25; 48:7;50:7;53:2,8,24, 25;54:5;121:16,16	lined (1) 14:22 lines (10) 46:21,22,25;47:1,14, 14,15,17,21;52:15 link (1) 135:2 linking (1) 135:14 list (2) 98:6;113:25 listed (5) 73:24;79:17;112:5,9; 121:20 lists (1) 135:15 literature (12) 11:24;26:12;27:10, 18;35:11;41:6;43:6; 66:4;67:24;68:8;92:13; 98:21 little (10) 4:17;31:22;32:14; 33:3,6;42:16;63:18; 78:20;88:20;117:16 live (3) 77:16;88:8,13 lives (5) 79:9,10;87:20,22; 88:6 living (3) 9:23;77:20,20 load (1) 135:5 loaded (1) 98:11 located (1) 106:11 location (4) 71:6,7;74:8;98:12 locations (1) 6:17 long (5) 6:1;58:8;127:12,16, 23 longer (2) 27:6;56:13 longitude (1) 98:11 look (58) 11:13;12:25;14:13, 23;21:10,14;22:12; 24:7,12;27:23;29:22; 30:20;32:4;34:5,9; 42:7;54:13;57:11;66:2; 67:2;68:16;72:1;80:13; 95:3;97:11,14;100:7, 10;101:5;104:14; 105:20;110:6,7,12,17; 111:7,9;112:24;113:5, 9,14;114:2;115:16; 116:4;117:8,15;118:4, 24;119:20;120:4,14;
	J Jackman (1) 19:17 Jackman's (5) 11:4;19:12;87:7; 89:1,4 January (1) 70:4 job (1) 5:9 Joey (3) 65:2;138:5;139:3 Jonathan (1) 65:3 journal (1) 69:15 Judge (2) 13:16;21:15 judges (2) 15:22;16:8 judgment (1) 19:15 judicial (2) 15:21;16:4 judicially (2) 12:13;19:19 jump (1) 95:16 jumps (1) 31:17 justification (1) 41:14				

121:12;123:2,13,18; 124:1,1,16 looked (5) 14:3,3;36:25;60:24; 105:13 looking (46) 7:24;12:21;13:2; 16:23;21:13,25;23:6; 25:6;29:5;31:4;34:23; 43:14;58:20;64:19; 72:3,17;75:10,17,21; 76:17;77:4;82:6;88:15, 17;94:20,24;95:13; 97:16;102:4;104:12; 108:10;109:14;113:17; 114:14;115:11,20; 116:5,10;117:25; 118:12;120:6;121:9, 17,18;134:13;136:17 looks (9) 22:5;31:7;74:3;80:9; 113:1,2;118:16; 128:21;129:1 lose (1) 45:25 lost (1) 110:3 lots (1) 35:11 low (1) 93:10 lower (4) 10:16,17;51:14;81:6 LTSB (2) 71:5;135:1 lunch (3) 88:21;89:8,10	112:10 many (15) 17:21;23:21;30:14; 51:5;63:5;64:15;72:20, 21;107:5,16,17,25; 108:4;124:11,12 map (24) 7:22;8:2,4;9:2;10:4, 7,15,25;12:14;13:18; 14:6,23;15:10;16:24; 19:19;40:1;45:12;60:7, 9;71:9;91:11,15,19; 98:14 maps (13) 10:12;12:12;14:14, 16;16:7,25;17:9,15,21; 56:7,58;12,14,15 Maptitude (1) 135:6 marginally (2) 86:3,6 mark (5) 67:3;100:15;104:7; 112:17;137:4 marked (16) 4:10;6:22;7:2;54:14; 57:14;67:5;68:22; 69:19;100:21;104:9; 109:10;112:19;118:8, 10;122:2;137:5 markers (1) 121:2 marking (1) 100:13 match (2) 110:16;135:7 matches (2) 113:18;118:22 matching (2) 122:12;136:1 match-up (2) 98:23;99:13 match-ups (1) 107:25 material (5) 29:7;93:7;96:7; 126:4;138:19 materials (2) 5:17,18 math (2) 77:3;117:18 matter (5) 46:16;54:18;68:3; 71:16;122:19 matters (2) 56:19;71:18 maximize (2) 15:2,7 maximizing (1) 17:6 maximum (2) 15:9;32:13 manually (1)	24:1;30:23;46:9; 57:17;105:20;106:19, 21;128:17 maybe (14) 31:22;32:17,20;33:1, 3,4;48:19;67:23;85:4; 88:7;99:17;116:4,6; 130:6 MAYER (13) 4:7,15;63:18;89:10; 111:21;125:19;128:16; 131:16;132:5,10; 133:25;138:3;139:22 Mayer's (2) 54:6;133:2 mean (45) 14:22;15:15;19:7; 20:9,19,22;21:9,11; 22:20,21;29:18;32:4; 36:25;40:10,20;41:12, 15;42:14,15,17,25; 43:4;45:15;46:5,22; 47:13,18;48:11,20; 51:25;57:17;77:6,9; 80:3;84:4;95:19;102:6, 10,14;105:11,22; 119:21,25;121:15; 129:8 meaning (1) 30:1 means (15) 7:18;15:7;27:5;47:7, 8;48:4,5,6;54:20; 77:14;82:13;98:8; 105:15;106:16;114:15 measure (33) 21:20;22:15;25:23; 26:13;30:6;36:13; 37:11;44:3,4,8,15; 46:8;47:12;55:10; 58:24;63:24;64:13; 65:5,7,18,20;66:8; 67:19,25;77:18,24; 78:7,8;79:12,14;80:15; 85:11;86:24 measures (13) 27:3;28:8;37:15,16, 18;41:15;46:7;58:20; 63:19,25;64:19;66:9; 72:13 measuring (2) 23:11;55:7 median (16) 35:15;40:13,21; 41:13,14,17;42:4,14, 18,20,21,24;46:21; 47:18,22;48:21 medians (4) 47:3,7;48:5,5 meet (2) 5:20,22 meetings (2) 6:1,6	meets (1) 62:11 member (1) 66:9 members (9) 8:5,21;9:15,16;11:1, 20;66:10;85:24;99:2 memory (5) 23:24;24:9;55:18; 80:14;89:15 Menominee (2) 41:19,22 mention (1) 28:12 mentioned (9) 9:6;17:9;37:20; 67:11;79:16;83:22; 96:13;104:25;139:3 Merge (1) 134:9 met (1) 5:23 method (13) 16:10;34:24;35:14; 39:10;40:15;60:2; 61:11,12;68:9;90:6; 92:14,14;139:9 methodological (1) 28:19 methodology (2) 35:10;49:4 methods (4) 55:7;90:5;92:24; 93:22 metric (5) 26:3,23;27:19;35:14; 68:4 metrics (1) 44:13 midterm (1) 28:22 might (22) 23:2,4;43:16;45:23; 46:12;58:5;60:15; 63:12;65:25;67:9;71:2; 73:1;80:13;81:2;89:1; 97:8;104:5;112:23; 126:9;127:17;128:3; 136:19 mile (1) 37:2 mileage (2) 40:6,10 miles (4) 40:11,13;42:20,21 Milwaukee (14) 36:14,22;37:10,22; 38:13,22,25;39:17; 40:10;133:5,10; 135:21,22;136:16 mind (4) 17:11;32:11;36:4; 130:20	minus (6) 115:10;116:25; 117:23;119:1,5;129:10 minutes (2) 127:15,17 misspoke (1) 80:19 mistake (1) 129:8 mistaken (1) 68:17 modal (1) 52:1 mode (2) 51:7;52:1 model (43) 8:14,14;55:15;56:9, 10,11,12,22;59:1,4,9, 12,16,17,19;60:14; 61:14;62:22;63:7; 80:12;88:23;89:1,2,4, 25;90:13;91:5;93:25; 94:6,6,10,16,18;99:15; 104:3;110:2;115:20, 20;132:15;133:2,7,21; 134:19 modified (2) 49:10;51:9 modify (1) 117:6 module (14) 70:9,22;71:24;73:22, 24;74:3;78:24;79:16, 20,22,23;80:7,9;81:14 modules (1) 80:1 moment (1) 125:12 Moran's (15) 28:9;63:23,24;64:8, 10;65:5,14,22;70:7; 75:14;79:12,24;80:18; 82:6;138:18 more (56) 7:6;15:10;22:2; 24:17,17;25:2;27:2,25, 25;28:4;30:3,6,9,11,13; 32:9;34:9;38:24;39:7; 41:2;44:24;45:2;47:23, 25;48:10,10;50:16; 54:23;55:4,13;56:16; 58:6,11;65:6;72:9,18, 18;81:9,10;82:22; 83:14;84:7,13;85:23; 86:22,23;87:21,23; 88:9,14;93:18;94:2,2; 103:23;107:12;137:4 morning (3) 4:15,16;91:21 most (7) 15:3,5;17:22;36:19; 92:13;118:12;136:6 move (8)
M				
machine (1) 104:15 Madison (5) 36:20;37:3,9,22; 136:16 magnitude (1) 8:17 main (1) 58:15 maintain (1) 45:7 makes (4) 49:18;53:13;86:10; 124:25 make-up (1) 35:24 making (8) 5:9;15:17;19:15; 22:8;53:21;54:20; 82:19;131:23 manner (2) 15:1;35:13 manually (1)				

20:13;34:20;47:25; 49:7;50:15;88:19; 100:9;132:9 movement (1) 34:10 moving (7) 10:1;22:24;23:2; 25:18;28:11;29:12; 63:10 much (14) 10:14,16;18:6,8,21; 19:6;25:2;27:2,6;28:3, 3;59:19;90:7;139:14 multiple (1) 99:2 municipalities (1) 52:11 municipality (3) 135:17,19;136:15 must (5) 23:15;119:14,17; 120:23,23 myself (1) 79:25 Myths (1) 69:1	134:25,25;136:11; 139:21 needed (1) 43:12 needs (3) 24:23,24;125:22 negative (3) 11:16;12:19,20 neighbor (2) 34:21;35:12 neighboring (1) 74:4 neighbors (4) 46:21,23;76:9,11 neutral (7) 10:12;11:25;12:4,16, 18,22;19:22 neutrally (3) 10:4,7,15 new (2) 117:7,13 next (14) 10:1;18:5;20:13; 28:11;29:12,22;33:23; 77:20;78:4,5;79:10; 88:19;112:17;120:11 Nick (2) 6:9;67:8 nine (1) 82:19 nomenclature (1) 78:24 non (1) 42:2 noncompact (2) 44:1,2 none (1) 19:17 nonlinear (1) 76:24 nonneutral (3) 11:25;12:3,17 nonspatial (1) 79:5 nonstandard (1) 135:12 normal (1) 52:10 note (3) 26:17;29:11;68:12 noted (3) 18:16;28:19;48:3 noting (1) 7:10 notion (1) 45:16 notional (1) 88:11 November (1) 137:11 number (53) 10:11;25:4;35:21; 37:1;45:9;51:21;54:10;	64:4;75:2;77:6,7,9,14, 18;78:13;80:10;84:3; 85:3,5;86:14;87:1,10, 11;97:12;101:20; 107:8,9,13,14;111:2,8, 25;112:7,9;116:8,14, 20;117:6,6,7;119:16, 17,22;120:24;132:12, 16,23;133:3;134:14, 23;135:3,6;136:21 numbers (33) 9:21;62:12,14;73:19; 77:22;81:5;85:7;87:7; 89:2;90:10;96:5; 100:16,20;108:23; 110:16,17;113:6; 114:3;117:1;118:1; 119:7;121:3;122:6,16; 124:25;125:22;126:3, 7;128:23;129:3,12,25; 137:2 numeral (2) 7:8;89:12 numerical (2) 135:13,13 O oath (2) 4:9,18 Object (6) 21:18;39:19;95:18; 131:11,25;139:22 objected (1) 131:3 objection (7) 28:24;41:3,12;50:20; 131:6;132:4;133:6 objections (1) 48:3 observe (3) 18:16;23:3,4 obviously (1) 71:13 occur (1) 50:21 occurred (3) 52:9;94:13;119:12 October (1) 137:11 odd (1) 106:20 off (10) 11:14;36:7;57:12; 85:12;107:19;123:10; 124:17;127:20;130:25; 131:6 offer (2) 127:22;130:5 offered (1) 19:20 offering (5) 17:20;53:7;59:4;	67:9;139:22 often (1) 51:13 once (4) 51:5;76:7;97:4; 102:3 one (65) 5:3;6:2,2;7:2;10:14, 17;12:24;14:25;15:17; 17:22;20:2;21:20; 26:10;27:19;28:12; 29:23;33:22;35:21; 39:7;40:22;41:25; 42:11;48:19;51:12; 55:16;57:7,9;60:22; 61:11;64:18;70:3; 75:19;76:22;77:14,18; 78:13;82:18,20,25; 83:2,8;84:19;90:5; 93:14;96:9,10;99:2; 100:7;102:12;104:8; 106:19,24;107:12; 111:18;112:18,24; 116:5;118:25;119:18; 124:13;128:12;133:18; 134:3;136:25;137:4 ones (2) 121:4;129:4 One's (1) 134:3 one-tenth (1) 82:17 only (8) 26:11;37:11;40:11; 42:22;58:14;72:7; 78:14;93:14 open (37) 80:2;98:18,20,25; 99:11;101:16,22,25; 102:10;103:12,13,13; 105:12;106:8,18,21,25; 108:20,20,21;118:3; 121:6,8,11,18,23; 122:5,13,15,19,20; 123:3,11;124:21; 128:1;129:6;134:4 open-seat (3) 80:12;99:15;108:24 open-source (1) 74:19 opining (1) 11:19 opinion (25) 6:21;10:6,20;13:1, 17;15:17,20,21;17:21; 18:8;19:20,24;26:20; 35:2,5;44:16;47:17,22; 53:7;59:4,8;80:24; 81:4;92:10;126:5 opinions (4) 12:2;90:9;138:9; 139:23 opportunity (4)	45:18;46:3;92:17; 126:1 opposed (3) 41:15;42:17,21 opposite (1) 99:13 order (2) 24:24;46:14 original (4) 56:9;100:7;133:17, 18 originally (2) 26:5;128:23 Os (1) 106:7 others (2) 28:23;35:22 out (15) 17:5,9;29:23;32:17; 46:11;56:17;82:11; 85:2,5,17;88:4,17; 98:22;108:5;136:14 outcome (3) 12:1,3,17 outlying (5) 41:17,21,22,23,24 outputs (1) 134:18 outside (1) 41:17 over (23) 7:6;19:25;23:6; 27:24;28:1;30:9;31:2; 32:6,10,14;33:10,13; 34:8,10;60:10;82:6; 89:14;95:10;96:5; 99:25;100:10;120:12; 137:16 overall (4) 55:11;82:14;84:5,17 overwhelmingly (1) 86:5 own (12) 12:10;14:20;16:1; 53:21;56:17;58:15; 60:20;64:15;71:1;94:6; 131:14;138:12 Ozaukee (2) 37:13,19 P packing (2) 14:21;92:3 page (30) 7:8;20:13;25:18; 29:22;34:22;40:5; 49:13;54:6,15;57:25; 62:2;63:21;68:12;69:4; 73:25;75:11;78:21; 79:17;89:12;91:6,8,10; 97:16,21;99:18;101:3; 106:14;110:20;114:2;
--	---	---	--	---

118:19 paid (1) 137:12 paper (1) 69:1 papers (1) 139:4 paragraph (13) 7:10;10:1;13:2;18:5, 12,16;20:15;28:12; 34:23;49:13;58:4; 68:15,15 paragraphs (1) 29:13 parallel (1) 48:8 parentheses (1) 36:1 part (7) 33:24,24;36:19; 53:13;61:2;74:6;97:21 participating (1) 15:23 particular (19) 9:23;22:9;24:22; 25:6;26:4,22;30:15; 33:16;36:4;52:18; 64:13;66:10,11;70:18; 73:22;85:14;123:4; 132:18;139:12 particularly (2) 27:1;40:9 parties (9) 8:5;11:1,21;12:9; 38:18;73:8;82:5;84:2; 98:24 partisan (21) 14:6,14;16:18;17:8; 19:18,22;24:16,21; 25:1;35:16,24;45:7,16; 48:14,16;71:1;72:25; 81:2;93:20;99:24; 104:20 partisans (11) 22:7,9;54:21;58:7, 22;64:24;65:9,15,18; 68:1,11 partisanship (14) 13:18,22;30:7;48:23; 72:24;73:10;74:24; 78:2;80:12;96:16; 132:15;133:2,21; 134:19 parts (3) 7:20;23:2;88:2 party (12) 8:22;12:24;60:10; 75:13;83:1,2;86:2; 98:7;99:2,3,13;101:20 party's (1) 86:12 pass (1) 75:21	pattern (5) 48:23;51:2,6,20; 52:13 patterns (1) 65:16 pay (1) 131:23 paying (1) 131:14 peer-reviewed (1) 69:17 pending (1) 57:24 people (12) 6:7;14:5;16:23; 27:10;28:22;35:11; 37:1;46:11;60:15,16; 71:18;92:11 per (2) 37:1;40:10 percent (76) 8:23;9:6;11:2,3;29:5, 5;30:3;31:5,10,12,15, 18,23;32:23;33:1,11, 21,23;50:1,4,5,12,17; 51:3,24;52:2;54:24; 55:1;62:3,4,4,5;74:23; 75:2;76:5,6,22,23;78:5, 6;81:13;82:7,20,21; 84:11,11;85:8,16; 86:18;87:12,13,21,23; 88:9,13;95:1,4,8,10,11; 111:3;114:7,11; 115:11,13,14,16; 116:16,23,25;117:2; 120:11;123:16,19; 124:3,18 percentage (21) 32:14,15,21;66:13, 16,17;71:18;78:10; 81:18,19,21;82:1,4; 84:17;89:18;93:12; 95:9;115:22;117:10, 17,24 percentages (7) 81:13;89:16,22,24; 117:20,21;129:23 perfectly (1) 76:19 perform (4) 60:15,16;61:13; 131:12 performed (6) 64:10;67:21;115:6; 119:4;129:9;131:14 period (5) 31:3;32:10;34:9,11; 65:12 permissible (1) 126:16 permutations (2) 17:5;101:15 person (2)	100:11;134:12 personally (1) 69:7 phase (1) 133:17 PhD (1) 4:7 philosophy (1) 16:4 phrase (1) 88:7 phrased (1) 71:11 physical (3) 44:5,6;98:12 pick (1) 78:15 picked (1) 17:22 picture (1) 125:5 piece (4) 96:15;97:1,4,6 pieces (2) 17:10;70:6 place (2) 52:18;62:19 placed (2) 46:13,18 places (1) 45:10 Plaintiffs (7) 4:4;131:3,22,23; 132:13;133:11;136:23 plaintiffs' (5) 131:4,5,12,13; 137:18 plan (63) 7:15;8:2,4,8,9,12,18; 9:10,13,24;11:12;12:4; 15:4,5;16:14,20;18:10; 19:11;20:1,6,8,10,11; 27:14;52:4,17;53:4; 92:11;93:9,16;94:25; 96:8,23;98:15;99:10, 19;101:1;104:14,19; 105:2,24;106:4,12; 107:6,17;108:1,8,11; 113:3,22;114:21; 115:3,10,18;118:18; 119:10,23;120:2; 121:24;122:9,10; 125:7;129:16 plans (8) 10:13;12:10,13; 16:13;19:22;26:8,17; 92:18 plan's (1) 7:13 plausible (1) 37:2 played (2) 13:18,22	plug (1) 59:1 plus (4) 117:23;119:1,5; 129:10 pm (1) 140:4 point (12) 32:5,6,21;34:13; 55:2;56:17;64:2;72:9; 83:10;97:1;128:1; 133:9 points (4) 32:14,15;95:9; 117:17 Poland (1) 6:8 political (32) 8:5,21;11:1,21,23; 14:23;16:11,16;18:13, 19,25;19:3;20:20,21; 21:6,7,9;23:3;25:19; 26:24;27:7;37:15; 64:24;65:9,15;68:1,13; 69:2,11;70:10;92:13; 98:7 politically (4) 36:2,9,17;37:3 poorly (1) 71:12 populated (5) 36:2,8,14,21,24 populating (1) 112:11 population (18) 9:3;54:2,11,11; 66:18,18;68:5;81:22; 82:17,19,22,22;85:21, 22,25;86:4,7;110:1 populations (3) 35:12,18;85:19 position (3) 8:16;20:4;60:1 possession (1) 91:14 possible (9) 7:21;9:2;15:9;35:23; 53:2;67:12;97:10; 101:15;112:16 possibly (1) 132:9 potential (1) 127:2 power (2) 94:5,10 practice (1) 52:10 precise (4) 24:20;44:25;45:2; 74:18 precisely (2) 51:20;107:8 predicated (1)	10:3 predicted (29) 59:16;91:14;101:13; 104:1;105:1,17; 106:17;110:1,13,18,18; 112:5,6;113:14,21; 116:2,6,12;119:21,25; 120:1,4,8,15;121:7,19; 123:15;132:17;133:3 predicting (1) 94:11 prediction (1) 101:24 predictions (1) 104:20 predictive (4) 93:25;94:5,9;105:1 predicts (1) 59:17 predominant (4) 13:5,13,24;14:9 prefer (2) 19:14;127:11 preliminary (4) 119:18;120:22,22; 121:5 premise (2) 39:3;45:10 prepare (2) 5:13,20 prepared (1) 36:10 preparing (1) 138:3 present (1) 6:8 presented (1) 19:21 president (2) 50:1,3 presidential (5) 23:25;71:3;73:2,4; 116:1 presumably (1) 12:10 pretty (3) 14:24;15:11;16:9 previous (4) 23:24;90:16,25; 101:23 previously (5) 20:3;57:13;67:11; 99:25;122:2 primary (3) 18:2,3;26:1 principle (1) 43:20 principles (2) 9:5;10:12 printout (1) 109:12 printouts (1) 100:4
--	--	---	---	---

prior (9) 6:10;64:7,22;66:22; 67:19;80:17;105:12; 108:5;139:12	properly (1) 65:6	56:15;63:10;76:21	rebuttal (8) 11:9;55:17;92:8; 125:18;126:6,13; 128:9;138:4	91:22
pro (2) 19:2;61:21	proposing (1) 129:20	quote (2) 90:22;91:5	recall (13) 12:6;20:25;57:12; 61:16;66:5;69:21,24; 70:3;78:17;83:17; 89:18;108:3;120:24	referred (1) 89:21
probability (1) 79:9	pro-Republican (17) 8:13;10:5,21;18:14, 24;19:2,2;30:5;53:16; 56:7,8,23,24;59:21; 61:7,22;138:16	R	refer (3) 30:3;81:2;138:4	referring (10) 13:10;17:17;24:6; 67:13;70:2;90:22;94:5; 118:7;122:8;124:12
probably (12) 14:24;33:10;36:22; 38:19;70:1;76:24;77:1; 82:10;94:1;107:22; 134:5;139:16	provide (13) 6:16;41:13;54:19; 59:19;71:23;119:3; 125:23;127:6;129:14, 15,20;134:7;135:25	race (13) 28:13,14,15,16,17, 21,23;29:6;30:4;81:9, 12,12;104:23	reflect (1) 81:1	refers (1) 111:8
problem (8) 26:1;35:21;40:22; 42:3,13;49:10;51:10; 58:5	provided (5) 98:6;100:6;126:19; 131:16;134:1	races (7) 80:22,25;90:6,12,15; 92:15;104:21	recent (2) 14:4;17:13	reflecting (1) 112:22
Procedure (1) 16:5	provides (3) 49:4;51:23;74:9	Racine (1) 136:16	Recess (6) 34:18;63:14;84:22; 89:8;125:15;130:23	reflection (1) 123:22
procedures (1) 131:20	providing (2) 100:4;126:13	raised (1) 130:18	recognize (2) 101:4,8	reflection (1) 124:8
proceed (1) 126:8	proxy (2) 55:23;56:4	ran (2) 99:7;107:15	recognized (1) 16:10	refresh (2) 55:18;89:15
process (8) 11:25;12:8,17,18,23; 29:20;57:8;91:19	prudent (1) 93:4	random (1) 77:13	recollection (3) 23:24;85:7;112:12	refreshing (1) 80:14
Processing (1) 134:21	published (3) 55:14;57:18;69:14	randomized (1) 139:9	record (22) 5:6;6:24;13:3,12; 14:1;15:18;16:2,6; 18:5;34:20;63:16;79:7; 84:24;91:18;127:20; 130:25,25;131:6,7; 132:25;136:23;139:21	regard (6) 17:18;27:12;35:16; 45:10,20;55:22
pro-Democratic (2) 59:17;61:22	pull (3) 110:5;134:14;136:14	range (3) 32:7;34:10;85:8	recorded (1) 106:18	regarded (3) 8:22;21:4;90:7
produce (11) 10:16;11:25;12:17; 38:6,10;42:25;45:8; 56:23;107:23;130:13; 138:16	purchase (2) 26:8;27:6	ranges (2) 77:8;84:18	records (3) 15:14,15;101:20	regards (1) 62:15
produced (4) 10:5;12:19;100:5,24	purely (1) 26:12	rather (6) 42:14,24;48:4,5; 90:13;135:10	red (8) 31:9;33:25;46:25; 50:6;53:8,24,25;54:5	region (3) 24:24;39:5;41:10
produces (2) 18:13;53:15	purpose (8) 13:5,13,23,24;14:9, 10;16:13;26:25	ratio (2) 118:4,7	redid (4) 28:15;47:3,7;48:6	relationship (6) 56:22;61:14;89:25; 90:5,13;91:5
producing (1) 45:12	purposes (1) 49:21	ratios (1) 44:4	redistricting (15) 9:5;11:24;13:3,9; 15:18;21:4;25:22,25; 26:2,17;35:17;43:20; 45:17;49:21;65:4	relate (1) 78:1
production (3) 111:18,21,22	put (5) 6:23;7:3;70:21; 112:21;139:21	raw (2) 80:23;118:1	redistrictings (1) 27:3	related (2) 6:12;137:1
profess (1) 54:16	puts (1) 40:25	R-Dinc (2) 103:19;109:7	redo (1) 47:6	relates (1) 109:19
Professor (17) 10:10;14:4;16:17; 17:14;54:6;87:7;89:4; 90:1,18,23,24;93:21; 96:17;125:19;131:16; 132:5,10	putting (3) 61:14;97:3,5	read (7) 11:7,8,9;15:21,21; 132:24;139:6	redound (1) 86:1	relationship (2) 48:23;77:11
program (10) 65:4;71:9;72:5,16; 74:5,11,17,19,22; 135:24	PVI (10) 25:7,18;26:1,2,5; 27:11,14,15,17;35:1	reading (5) 16:1;31:25;88:24; 138:8;139:14	re-election (2) 98:1;107:7	relative (2) 48:24;84:8
programmer (1) 74:10	Q	real (2) 20:22;41:13	RE-EXAMINATION (1) 139:1	relatively (1) 45:6
progression (1) 16:25	quantify (2) 18:6;19:6	Realities (1) 69:1	refer (3) 7:1;104:6;124:10	relevance (1) 20:23
prong (1) 7:12	quantitative (1) 17:3	realization (1) 9:22	reference (4) 7:1;21:16;49:9; 90:17	relevant (5) 20:21;21:7;22:18; 72:17;106:11
proper (3) 22:11;131:20;136:14	quantity (4) 21:21,22;23:11; 81:21	realize (1) 130:19	referenced (1) 69:3	reliable (13) 27:1,10;28:4;29:16; 35:7;41:11;49:4;53:19; 54:20;55:7;60:2,6;61:6; 68:9
	quick (1) 130:7	really (3) 26:1;50:7;137:2	references (2) 26:11;67:23	relied (1) 89:16
	quite (9) 11:24;14:1;24:3; 35:25;45:9;48:19;	rearranged (1) 96:24	referencing (1)	relying (1) 121:3
		reason (11) 4:25;27:7;60:17; 83:9;92:21;96:14,19; 119:18;126:2;128:21; 135:4		remain (1) 33:18
		reasonable (1) 38:21		remained (1) 99:20

<p>remains (2) 93:9,10</p> <p>remember (5) 11:13;70:5;73:1; 85:12;107:11</p> <p>reminder (1) 5:3</p> <p>remotely (1) 14:7</p> <p>render (1) 13:1</p> <p>renormalizes (1) 25:8</p> <p>Rep (2) 114:14;119:25</p> <p>repeat (2) 5:5;129:24</p> <p>rephrase (1) 5:6</p> <p>replicate (2) 31:2;54:1</p> <p>replicates (1) 105:1</p> <p>replication (1) 47:21</p> <p>report (58) 5:15,18;6:12,18,22, 25;7:5,7;9:18;11:8,9, 13;12:21,25;18:2,3; 19:14;20:14;28:20; 29:5;49:8;54:6,12,13; 55:17;57:11;63:20,21; 67:23;68:12;69:4; 73:25;79:18;89:11; 90:17;91:6;92:4,6,8, 10;108:24;111:10; 114:9;118:4,22; 119:16;125:19;126:6, 14;128:2,9,19;133:18; 138:4;139:5,13,15,16</p> <p>reports (4) 5:16;11:5;139:23,24</p> <p>represent (5) 46:25;47:1;106:7,10; 113:21</p> <p>representation (1) 58:9</p> <p>representing (1) 105:11</p> <p>represents (1) 108:13</p> <p>Republican (145) 15:2,4,5;18:18; 19:25;22:25;24:18; 25:2;27:25;30:4,5,8,9, 12,13,17;31:5;32:1,2,2; 33:17,23;34:7,8;37:9, 19,21;38:3,6,11;39:2; 40:7,16;42:6,15,18,22; 43:2,10;45:13;47:9,24, 25;48:2,10,13,14,18; 49:1;55:1,24;56:1; 71:2,15,17,19;72:18,</p>	<p>21;74:23;75:23;76:6,7; 77:15,17,19,19,21; 78:3,4,5,7,10,12;79:4, 8,10,11;81:9;82:1,3; 83:5,6;86:3,5,5,19; 87:22,23,25;88:6,11, 12,13,14,16;89:18; 91:12,16;97:17;98:19; 101:14,17,21;102:1,6, 17,25;103:19;105:12, 16,19,23;106:3,18,24; 107:5,10,14,17;108:1, 13,14;109:6;110:3,8, 13,18;112:6;113:10, 11;114:15,18;116:19; 117:3;120:1,19; 121:14,21;123:9,9; 124:9,13,22;125:2; 132:17</p> <p>Republican-drawn (1) 56:6</p> <p>Republican-favoring (1) 44:19</p> <p>Republicans (60) 12:4;13:5,14,24; 14:10,11;17:23;19:11; 20:7;22:2;31:8;33:13; 36:13;37:16;38:1,24; 41:3;44:14;45:18,24; 46:14,25;47:5;48:9; 49:6,19;50:12,17; 51:24;53:14;54:25; 55:4,9,13;58:11,23; 66:17,18;75:12;76:18, 18;77:15,23;80:11; 81:7;83:24,25;84:8,11, 14;85:10;86:18,22; 94:21;106:20,23; 110:24;114:22;138:15, 20</p> <p>Republican-tilting (1) 44:23</p> <p>requests (1) 131:2</p> <p>require (3) 22:14;45:23;71:20</p> <p>required (2) 52:15;71:14</p> <p>requirement (1) 33:20</p> <p>requirements (1) 9:4</p> <p>reran (1) 92:21</p> <p>research (2) 64:15;69:10</p> <p>resembling (1) 61:15</p> <p>reserve (1) 128:4</p> <p>reserving (1) 132:8</p> <p>residences (1)</p>	<p>9:11</p> <p>resolution (1) 121:2</p> <p>resolve (1) 24:13</p> <p>respect (2) 98:5;138:9</p> <p>responded (1) 133:12</p> <p>response (3) 18:12;92:22;93:3</p> <p>rest (2) 36:23;39:14</p> <p>result (6) 38:15;42:25;46:9; 52:3;59:13;102:2</p> <p>resulted (1) 108:2</p> <p>results (10) 41:11;47:18;50:25; 93:15,19;97:7;115:16; 133:20;134:17;135:8</p> <p>retained (2) 12:7;68:19</p> <p>review (2) 68:8;138:5</p> <p>reviewed (4) 5:15,15,16,18</p> <p>reviewing (2) 129:1;138:8</p> <p>revised (3) 126:6,13;128:1</p> <p>revision (2) 128:2,5</p> <p>right (22) 20:15;31:6,7,11; 34:16;43:19;50:15; 57:21;68:16;86:17; 94:24;115:4,13; 116:22;117:21;125:3; 128:4;136:19,24; 137:3,20;140:1</p> <p>Rinc (1) 121:20</p> <p>Rodden (3) 65:3,8,25</p> <p>Roger (1) 70:11</p> <p>role (2) 13:19,22</p> <p>Roman (3) 7:7;88:22;89:11</p> <p>R-open (1) 105:11</p> <p>roughly (14) 11:17;31:16;32:19; 33:2,5;34:10;36:13; 37:12,18;44:15;55:10; 58:24;77:24;85:10</p> <p>rounding (2) 116:7,20</p> <p>R-Rinc (3) 102:23;103:3;108:14</p>	<p>Rs (2) 107:4,13</p> <p>rule (1) 98:17</p> <p>Rules (2) 16:5;126:20</p> <p>run (10) 73:21;75:12,16,20, 21,23;90:20;98:1; 130:6;133:13</p> <p>running (5) 97:18;107:6;123:5; 124:23;125:2</p> <p style="text-align: center;">S</p> <p>safe (1) 38:17</p> <p>same (41) 25:11;32:16;34:8; 35:24;36:1;37:21,24; 44:22;48:8,14,16,24; 56:12,15,16,16;69:3; 76:8,11;84:14;91:11; 93:23;99:18,20,23; 100:14;104:12;105:4, 6,8;106:2;107:18; 109:13;112:22;119:7; 120:1;122:7,16;123:9; 131:9;132:13</p> <p>saved (1) 111:22</p> <p>saw (4) 12:3;52:4;106:14; 119:8</p> <p>saying (5) 46:3;58:17,18;88:25; 126:22</p> <p>scale (1) 40:25</p> <p>schedule (1) 127:23</p> <p>scholarly (1) 27:9</p> <p>science (3) 11:23;17:4;92:13</p> <p>scientist (5) 7:24;21:11;29:17; 68:7;69:12</p> <p>scientists (4) 14:23;15:24;16:11, 16</p> <p>scope (1) 60:3</p> <p>scores (1) 65:22</p> <p>Sean (1) 20:14</p> <p>search (1) 80:3</p> <p>searched (1) 6:17</p> <p>season (1)</p>	<p>9:7</p> <p>seat (27) 62:10;98:19,20;99:2, 11;101:15,16,17,22; 102:10,14;103:12,15; 105:12;106:8,18,21,25; 108:20;121:18;122:4, 13,19,19,20;123:11; 124:21</p> <p>seats (32) 38:7,10,11,15,17; 45:19;57:1,4,10;58:12; 59:5,6;60:10,22;61:11, 13;62:24;63:6;65:24; 87:8;90:19;94:21; 110:24;114:23;121:6, 8,23;122:5,14,15; 123:3;129:6</p> <p>second (8) 7:10,20;10:2;30:25; 34:23;42:13;68:14; 83:24</p> <p>secondary (1) 72:4</p> <p>secrecy (1) 14:18</p> <p>Section (6) 34:21;49:7,14;55:17; 88:20;89:11</p> <p>seeing (1) 122:11</p> <p>seem (3) 81:9;121:12;130:4</p> <p>sees (1) 21:16</p> <p>segregation (2) 37:16;68:1</p> <p>select (1) 52:18</p> <p>Senate (5) 9:16;28:14,24;29:1,6</p> <p>sense (3) 17:6;41:20;116:5</p> <p>sent (1) 67:1</p> <p>sentence (4) 7:11;10:2;13:7;91:9</p> <p>separate (2) 75:13;100:19</p> <p>separately (1) 84:2</p> <p>sequence (4) 14:2;17:17,20;91:20</p> <p>sequences (1) 17:15</p> <p>sequitur (1) 42:2</p> <p>seriously (1) 43:5</p> <p>served (2) 131:2;132:12</p> <p>Services (1) 70:24</p>
--	---	--	--	---

set (7) 29:13;63:1;93:14; 118:10,16;137:15,17	shrinks (1) 49:2	21:11;29:17;68:7	spreadsheet (23) 100:10,14;101:1,4, 12;104:12,18;105:13; 109:13,24;111:18; 112:22;113:5;117:8; 118:3,12,25;122:3; 128:22;130:11;133:19; 134:13;136:1	7:15;8:10
sets (1) 47:13	side (6) 17:8;48:2;72:13; 76:6,8;115:15	software (1) 74:19	statewide (25) 25:9;39:6,8,11; 44:12;45:21;46:6,8,15; 50:3;84:6,8,15;85:2,5, 11;86:8,9,18;87:10,11; 88:8,12;90:12,15	
settings (1) 115:19	sign (1) 29:15	solely (1) 23:5	statistical (1) 136:6	
seven (2) 42:18;62:24	significant (4) 42:7;51:23;56:14,23	solid (2) 46:22;47:15	status (1) 104:23	
several (4) 23:2;70:1;71:25; 93:18	significantly (2) 29:3;56:8	somehow (1) 136:22	statutory (3) 9:4;16:4,21	
shape (15) 44:9;50:14;70:12,15, 16,21,23;71:8;72:3,20, 23;74:8,8;78:16,17	similar (6) 31:2;35:15;77:22; 122:3;129:21;130:15	something's (1) 26:20	step (1) 72:2	
shapes (3) 47:16;48:7,8	Similarly (3) 7:12;8:6;37:7	sometimes (4) 56:19,19;100:11; 120:25	Stephanopolous (2) 6:9;67:8	
share (24) 30:5;54:10;58:12; 72:13;73:7,14,18,19; 76:20;81:1;82:11; 86:11,12,16,25;87:2,4; 108:14;115:9;116:19; 117:14;123:20;124:3, 18	Simon (2) 11:4;19:12	somewhere (1) 39:25	steps (1) 74:18	
shared (1) 72:10	simple (3) 42:1;45:8;81:17	Sorry (14) 38:8;69:22;76:2; 84:20;85:18;92:7;94:8, 15;102:24;105:9,20; 110:23;123:14;124:10	stick (1) 48:1	
shares (3) 85:20,22;117:11	simpler (1) 45:6	sort (10) 48:1;64:23;71:11; 87:20;88:15,17; 100:17;112:10;128:1; 130:13	sticker (1) 7:3	
shift (1) 131:21	simply (16) 14:7;18:22;19:7; 20:20;25:7;26:3;27:12; 31:2;41:9;42:25;43:1, 4;47:21;55:12;61:4,5	sorted (1) 112:13	still (6) 10:21;47:3,9;56:22; 61:10;122:15	
short (4) 4:17;27:4;34:16; 84:24	simulated (1) 10:12	sorts (2) 16:5;29:16	stop (2) 89:5;98:23	
shorthand (1) 27:9	sit (1) 134:15	sounds (3) 11:17,17;38:21	stopping (1) 32:5	
show (23) 7:13,18;8:12;22:23; 37:16;40:15;41:2; 42:14;44:13;49:24; 53:12;55:5,8;68:24; 71:7,10;72:23;86:19; 107:4;114:20;122:2; 124:25;137:7	sitting (6) 17:12,19;68:2;108:3, 6;114:13	source (2) 42:11;80:2	starting (1) 32:5	STRAUSS (29) 21:18;39:19;54:4,8; 57:23;58:1,3;63:13; 69:22;95:18;100:18; 110:21;125:16;126:11, 24;127:3;128:7,14; 130:22;131:8;132:7, 19,24;135:25;137:22; 138:2,23;139:3;140:1
showed (2) 71:5;130:11	situation (3) 97:14;99:1;126:18	sources (3) 67:9,12,24	starts (1) 7:10	
showing (2) 85:6;120:14	situations (1) 120:25	south (1) 20:17	Stata (8) 79:1,16,20;80:7,9; 81:14;133:20;136:6	
shown (1) 109:14	six (2) 42:19;62:25	space (4) 64:2,3;72:22;79:6	state (50) 7:13,18;9:16;18:19; 20:24;21:3,13,23; 23:14;24:17,18,23; 25:3,15,21,24;26:16; 27:24;36:5,23;39:5,14; 40:1;41:25;53:15; 55:10;57:4;61:15;62:1, 2,11,15;71:9,10;74:16; 82:25;83:4,6;84:7,10; 85:14;87:22,23;88:10, 14,18;131:5;133:22; 135:18;138:21	
shows (38) 8:8;13:4,12;15:19; 17:15;18:17;24:16,21; 25:1;29:24;30:7;32:10; 40:5;48:8;51:5;55:6; 94:25;101:12;105:23; 106:3;107:20;110:7, 12,24;111:12;113:10; 114:17;118:20;119:20; 120:19;121:17,22; 122:22;123:21,25; 124:2,13;134:17	size (6) 43:17,23;44:5,6; 91:17,25	spatial (14) 27:18;63:24;64:4,19; 65:7,19,23;67:10; 70:17;72:14;74:1; 79:12;83:11,23	state-by-state (1) 62:20	
	sized (1) 37:7	speak (1) 139:18	stated (1) 131:6	
	skew (1) 51:8	specific (4) 12:15;17:10;37:1; 70:21	statement (4) 24:25;36:11;42:1; 91:9	
	skip (1) 7:5	specifically (6) 6:4;12:15;70:2,8; 74:17;78:23	States (15) 20:17;21:6,17,23; 22:1,5,13,17,25;23:6; 55:24;61:16;62:23; 63:2,6	
	slightly (4) 37:25;78:25;82:15, 15	specified (2) 24:24,24	state's (2)	
	small (9) 10:23,23;42:11; 43:24;44:1,2;58:14; 129:4;136:25	specify (3) 70:12;72:7,8		
	smaller (8) 10:14;40:6,17;42:6; 43:7;47:11;62:23;63:1	spend (1) 139:14		
	smooth (1) 50:9	spent (1) 20:25		
	social (6) 7:24;15:24;17:3;			

subpoenaed (1) 6:11	swung (2) 60:9;115:23	test (4) 7:12,19;16:10;64:8	66:18;81:22;82:12, 24,25;83:2,4,5;85:3,5, 14;99:19;102:21; 103:1,16;105:18; 108:14;109:3,6;110:9, 24;114:3;115:24; 116:1;117:4;120:15; 123:4,6;132:16,23; 133:3,9	15:24
subsequent (2) 14:2;138:3	symmetry (1) 45:16	tested (1) 9:7		tried (1) 17:21
subtract (7) 87:13;115:9,12,13, 13;117:12;118:1	system (2) 70:17;98:12	testified (1) 4:9		true (6) 4:22;19:4;40:9; 47:10;78:12;102:19
subtracted (7) 50:1,5;84:6,15;85:2; 86:11;117:9	T	testimony (6) 5:1;13:21;80:17; 90:18;91:21,23		trusted (1) 93:2
subtracting (3) 85:4,13;115:14	tab (10) 101:2,3;104:11,12; 109:13,17,18;112:22; 118:11,12	thanks (2) 71:12;125:14	totaling (1) 114:17	truthful (1) 4:25
subtraction (1) 86:15	table (11) 6:18,18;40:5;42:15; 75:11;77:4;84:25; 89:16;94:24;97:21; 111:15	therefore (1) 110:9	totals (20) 83:13;84:16;103:12, 25;104:1;105:2; 108:11,21;113:22; 115:21,23;116:3; 117:10,19,22;121:7,12; 122:24;123:10;129:22	try (5) 5:10,11,12;21:12; 22:13
subunit (1) 66:14	tabs (2) 100:14;118:24	thinking (1) 71:11		trying (18) 14:8;17:5,9;27:8,10; 31:2;32:6;53:12;55:3; 74:2,20;76:21;82:10; 85:16;96:12,20;98:3; 103:2
suggest (1) 125:25	talk (4) 26:6;65:3,5;132:10	third (4) 7:12,21;49:13;58:4	tougher (1) 78:18	turn (2) 57:25;117:2
suggesting (2) 58:15;67:1	talked (6) 17:14;41:4;63:18; 73:20;78:21;93:12	though (4) 61:10;71:20;74:20; 93:5	towards (8) 22:24;77:14,18; 78:13;81:13;82:24; 83:3,5	Turning (1) 29:22
suggests (1) 93:23	talking (13) 21:1,19;32:14;41:23, 24;44:25;48:21;49:15; 54:5;60:21;84:25; 127:16;128:16	thought (1) 93:3	track (1) 53:25	twice (3) 41:1;42:16;82:18
sum (1) 135:23	technically (1) 112:5	thousand (1) 14:22	traditional (2) 9:5;43:20	two (32) 6:6,19;12:20;18:11; 21:19;22:13;28:10,12; 29:2;42:9,10;44:7; 45:4;47:13,14,15,20; 48:19;55:19;72:11; 73:8;78:15;90:4;92:1; 98:24;101:18,22; 106:20,21,23,23; 137:23
summary (2) 7:6;135:23	technique (4) 22:12;85:18;92:19; 115:17	thousands (2) 80:4,4	traffic (1) 127:24	two-party (8) 73:6,14;76:20; 115:22;116:16,23; 117:11,13
support (2) 54:25;55:1	Technology (1) 70:24	three (6) 6:3;7:19;48:22; 60:10;106:22,23	transferring (1) 26:10	type (2) 85:5;130:15
supporting (1) 59:20	telling (1) 87:17	threshold (3) 93:8,95:11;96:5	transforms (1) 98:9	typical (6) 87:20,22;88:5,6,11, 16
supposed (2) 19:8;61:18	tells (6) 22:10;34:25;35:16; 72:4,4;74:10	thumb (1) 40:25	translate (3) 53:9;65:24;107:14	typo (1) 112:1
Sure (32) 11:4;22:20,23;34:17; 36:23;38:20;44:21; 47:20;49:13;54:14; 62:25;66:2;73:2;79:25; 81:7;84:21;88:3;90:14; 99:9,17;101:6,7; 102:25;104:3;111:7, 14;122:8;123:2; 125:13;132:21;133:1; 134:16	template (2) 109:24;113:1	ticket (1) 30:4	translated (1) 45:19	U
surplus (1) 110:3	tend (5) 10:15;47:11;78:10; 81:11;86:21	tied (6) 49:24;50:11,13,18; 51:24;54:3	treat (3) 8:21;11:20;72:5	unambiguous (1) 135:13
surrounded (1) 77:16	tended (1) 85:7	tightly (1) 14:19	treated (3) 45:19;98:25;99:4	unanimous (1) 14:24
suspect (2) 8:20;81:6	tendency (1) 41:16	times (3) 42:19,19;48:20	treating (1) 45:14	unanimously (1) 41:6
swearing (1) 4:21	tends (1) 86:23	title (2) 101:2;109:18	treats (4) 8:5;11:1;45:12; 70:13	unbiased (3) 7:22;8:2,16
swing (25) 49:16;50:2,4,19,24; 114:25;115:7,8,16,21, 21,24,25;116:25;117:2, 17,18,24;118:2,4,6; 129:9,24;130:10,16	term (5) 8:2;13:19;39:21; 50:11;133:7	titled (2) 104:13;112:22	Trende (19) 5:16;18:6;22:1; 23:16;24:20;26:17; 27:13,20;30:23;31:1; 32:7;35:5;40:23;41:13, 16;49:3,18;55:12; 88:22	unconstitutional (1) 95:11
swings (1) 119:4	terminology (1) 8:3	today (7) 4:19;5:1,14;6:7; 127:23;130:4;140:2	Trende's (6) 20:14,16;29:14; 30:10;34:24;47:21	uncontested (5) 80:21,25;81:9,12; 87:8
switched (1) 28:25	terms (11) 16:24;29:3;41:22; 45:16;48:11,21;51:17; 53:21;57:10;88:11; 89:17	together (8) 35:3,4,25;47:4,9,24; 107:18;137:9	trends (2) 22:19,23	under (27)
sworn (1) 4:8		took (7) 19:25;23:17;28:18; 29:15;49:2,25;55:25	trial (7) 13:4,10;14:1;15:18; 17:13;126:16;139:22	
		top (12) 11:14;30:4;36:7; 57:12;80:14;85:12; 101:2;104:13;107:19; 109:14,18;112:23	trials (1)	
		top-of-the-ticket (2) 28:13,21		
		total (32)		

4:18;7:12;9:7,12; 10:12;11:11;12:4; 19:10,21;49:14;50:18; 52:4;60:7;75:25;93:8; 9:98:4;99:9;105:2; 107:5,15,16;108:11; 113:22;114:21;125:6; 126:20 underlying (8) 55:21;65:20;70:19; 71:1;72:19;74:7,9; 134:18 underneath (2) 20:15;97:21 underperformed (2) 57:9;58:13 underwent (1) 5:17 unified (2) 16:18;55:24 uniform (11) 49:16;50:2,4,18,24; 114:25;115:6,8; 116:25;117:2;119:4 uniquely (1) 134:23 unit (6) 49:10;51:9,18;64:5; 70:18;72:22 United (2) 20:17;61:16 universally (1) 74:18 unreliable (1) 92:24 unsurprising (1) 58:11 up (28) 14:22;22:21;31:17; 32:23;33:6,10;39:15, 25;53:3;81:15;82:19; 87:2;93:22;102:16; 107:3;110:5,16; 111:20;113:18;114:17; 117:13,17,18;118:3,22; 122:12,22;135:7 updated (2) 56:9,10 upheld (1) 7:16 upon (1) 132:12 urbanization (8) 55:22;56:2,3,6,13,19, 20;60:25 urbanized (1) 62:4 use (16) 22:4;23:17;25:14; 28:7;40:20;41:12;49:9; 50:25;52:24;59:1; 92:14;126:14;131:20; 132:20;133:7;135:24	used (48) 6:20;25:19,21,23; 26:2,3,15,19,20,23; 27:5,17,19;28:8,14,25; 30:25;48:4;53:21; 56:17;65:16,23;67:25; 68:5,13,17;71:2,2; 72:23;73:13,18;74:18; 79:23;80:13,14;90:4, 10;92:20;93:14,22; 98:8;109:24;117:8; 119:16;133:8;134:23; 135:5,6 useful (6) 27:12;28:2;35:16; 39:9;49:4;53:18 user (1) 70:12 uses (12) 24:21;41:14,16,18; 42:24;49:23;50:11; 60:2;72:19;74:11; 85:18;104:23 using (34) 10:22;15:8;27:9,13, 15;28:14,16;37:14; 40:22;42:3,13;52:22; 55:6,16;56:12,21; 59:10,16;61:2,14;62:2; 76:20;80:11,20;87:1, 12;89:25;90:11;93:12; 98:14;132:15;133:2; 134:10;135:2	vertex (1) 72:12 view (4) 26:23;27:20;30:10; 54:18 views (1) 28:20 Virginia (5) 20:17,20;21:13;22:7, 10 vital (1) 97:1 vote (121) 23:18;24:1,2,21; 30:1,2,3,5;32:2;34:3,6, 7;37:20,22;42:5,6; 46:1,4,13,18;49:25; 50:3;51:25;52:1;73:2, 4,6,14,19;76:20;81:1, 20,25;82:3,4,12,24,25; 83:2,4,6;84:6,15;85:3, 5,14;86:9,11,12,15,18, 25;87:2,4;88:23;89:18; 99:19;101:14,24; 102:21;103:1,5,12,16, 19,24;104:1;105:1,2, 17;106:18;108:10,14, 17,21;109:3,6;110:9, 13;113:11,13,21;115:9, 11,21,22,23,24;116:1, 2,7,12,17,19,23;117:4, 10,11,13,19,20,21,24; 120:2,5,8,15;121:7,12, 19;122:24;123:4,6,10, 15,20;124:3,18;129:22, 22;133:10 voter (1) 46:12 voters (8) 7:15;8:10;45:13; 61:23;72:18,18,21,21 votes (30) 23:18;25:8;38:22,23, 25;39:7,16,17;40:4; 45:19;51:22;80:15,20; 81:15;110:1,3,18; 112:6,6;114:1,3; 119:22;123:16,19; 124:3,17;132:16,23; 133:3;136:16	17,17;73:11;74:3,22; 75:5,18,22,24,25;76:4, 8;77:11,15,16,19,20, 21;78:2,3,4,5,9;79:4,9, 10,11,15;81:15,20,23; 82:1,3,17,18,20,23; 85:15,16;87:5,21,22; 88:1,6,9,13;133:21; 134:8,20;135:1,4,19; 136:1 ward-level (10) 23:18;24:2;25:8; 51:16;54:2;80:10; 83:12,15;134:17;135:8 wards (102) 30:1,6,8,8,11,12,15, 17,18;33:16;34:25; 35:1,2,9,15,24;40:6,7, 16,17,17;41:5;42:4,6,9, 11;43:2,3,7,10;44:6,10, 18,19,23,23;47:4,8,9, 11,23,24;48:2,9,10,13, 14,15,19;49:1,1,9,17; 50:10,13,16,22;51:1,6, 12,18;52:7,11,14,18, 24;53:3,8;54:10,24,25; 70:23,25;71:14;72:5,7, 8,15;74:4,12,13,25; 75:3;77:12,17,24,25; 78:11;79:13;81:8;82:5, 7,8,16,16,19;87:3; 133:5,7,8;135:22; 136:13 ward's (3) 81:21;82:12,14 Washington (1) 37:14 wasted (7) 38:24;39:7,16,17; 40:4;114:1,3 Waukesha (7) 37:13,19;38:5,10,23; 39:1,18 way (34) 10:25;14:15;17:1; 22:1,10;24:20;26:9,25; 27:15;35:5,9;38:14; 40:24;49:20;51:22; 55:4;61:2;72:6;74:6; 81:24;85:22;86:1,7; 88:7;91:11;95:11; 96:20;107:20;112:11; 115:8;126:8;128:12; 136:21,24 ways (5) 16:15;24:3;41:1; 45:4;60:5 Wednesday (1) 5:25 week (1) 6:8 weighted (11) 54:2;66:12,17;81:25;	82:2,9,11,24;83:3,5; 85:15 weighting (1) 82:12 weights (1) 81:20 weren't (1) 121:24 what's (14) 20:23;23:8;24:8; 28:4;55:15;57:23; 69:22;92:10;111:14; 118:10,22;121:19; 125:17,18 whenever (1) 16:18 Whereas (3) 27:9;92:9;135:12 whichever (1) 38:14 whole (12) 24:18,23;25:3;37:17; 45:1;61:16;62:14; 88:14,18;116:7,13,20 Who's (1) 69:6 wide (1) 21:25 widely (1) 27:2 win (6) 50:12,18;51:3; 114:15,15,22 winning (1) 110:24 wins (1) 114:18 Wisconsin (36) 11:11;12:2;20:18,22; 21:8,10,11,13,14,16; 22:3,8,11;23:9,15,19; 27:14;36:5,8,37:8; 44:21;54:22;58:19,22; 59:6,13,15,16,22;60:4; 61:4,20,24;62:7;75:18; 114:23 Wisconsin's (3) 59:2,10;138:6 within (1) 79:14 without (3) 12:21;18:23;94:20 witness (6) 4:8;34:17;89:7; 127:14;131:10,25 won (3) 58:11;94:21;122:22 wondering (4) 15:19;88:5;100:15; 111:12 word (2) 19:8;105:9 work (16)	
	V				
	value (6) 75:4;93:25;98:17; 101:24;105:17;120:1 values (14) 59:14;64:1,2;74:12, 14,15;77:1;78:9;79:7; 101:13,23;105:5,8,9 variable (5) 26:13;56:21;64:1,3; 74:13 variables (2) 43:12;59:14 variant (1) 43:24 variants (1) 64:1 variation (1) 74:15 varies (1) 21:22 variety (3) 21:25;68:5;115:19 verbal (1) 5:9 version (5) 7:4;119:13,18; 120:22;121:5	Walker (1) 73:15 ward (88) 24:1,2;25:1,3;30:2; 31:5;32:2;33:17,21; 34:3,6,8;40:10;42:16, 17,18,18,20,22,23; 48:17;49:25;50:5,17, 21,25;64:6;68:14;71:6, 10,17,18,21;72:14,16,	W		

19:12;55:14;56:17; 60:20;64:7,16,18,22; 66:22;68:19;76:21; 127:18;131:22,24; 136:4,6 worked (3) 77:3;87:14;130:7 working (2) 23:23;65:12 works (4) 74:3,6;126:21; 127:22 worksheet (1) 101:12 worth (1) 137:2 write (1) 74:16 written (1) 107:23 wrong (5) 51:18;58:17;124:12; 134:11;136:22 wrote (1) 13:16	95:16;96:2 1.5 (1) 95:8 1.51 (1) 95:9 10 (10) 12:20;82:16;84:11; 122:3,7,10,11,13; 123:2,14 10,276 (4) 121:19 10,457 (1) 116:20 10,96 (1) 42:17 100 (1) 32:13 11 (3) 49:13;73:25;79:17 11,254 (1) 116:12 11,254.58 (1) 113:19 11,255 (3) 116:7;124:2,18 11,805 (1) 123:15 11.69 (2) 95:14,21 12 (2) 12:19;54:6 12,899 (3) 120:5,9;123:19 13 (1) 134:22 13.04 (3) 95:14,23;111:11 13.2 (1) 62:3 14 (1) 11:3 14.14 (1) 111:12 14.1422 (1) 111:3 15 (2) 60:11;62:2 15,632.8269 (1) 113:15 15,632.83 (2) 113:12,13 15-digit (1) 134:23 16 (4) 63:21;68:12;69:4; 70:4 16,908 (2) 110:9,13 17 (5) 54:15;73:25;78:21; 79:17;80:14 17.4 (1) 62:4	18 (1) 75:11 2 2 (22) 11:2;32:14;34:21; 106:2,5,5,14;111:21; 113:17;115:14;116:6, 10;120:4,6,20;123:14, 14,18;124:2,6,9,14 2.2 (3) 8:23;9:6;95:1 2:11 (1) 140:4 20 (8) 57:14,14,20;58:2; 82:21;87:23;88:13; 127:15 2000 (1) 11:11 2000s (1) 12:4 2000's (1) 52:4 2002 (9) 20:10;30:7,21,21,25; 31:4,25;32:18;34:2 2004 (7) 29:12;31:8;32:20; 83:9,9,15;90:12 2006 (6) 28:13,16;29:1,4; 31:12;32:23 2008 (3) 31:14;32:25;78:18 2010 (8) 20:1,11;31:17;32:3; 33:3;86:4,17;90:12 2011 (2) 52:9,13 2012 (22) 10:8;29:12;31:20; 32:1;33:6;49:25;50:2; 52:13,16;56:10;57:15, 18;59:9;70:25;73:3,3; 78:14;86:2;94:14,17; 97:17,25 2014 (25) 30:8,21;31:1;33:9, 14;34:2,13;55:23; 56:11;57:16,17,18; 73:9,11,15;77:5;78:14; 83:8,20;84:4;94:6,10, 13,18,21 2015 (3) 56:11;67:15;137:11 21 (3) 57:14,17,20 22 (4) 54:15;70:4;89:12; 91:6 23 (8)	83:19;84:3;87:16,17, 21;88:9;91:8,10 24 (6) 91:8,10;97:16,18,21; 102:17 25 (1) 32:18 255 (1) 116:13 3 3 (5) 7:8;32:15;119:1,5; 129:10 3.45 (1) 42:21 3.5 (2) 50:1,5 3.71 (4) 95:4,10;114:11; 118:20 3.8855 (1) 114:7 30 (1) 17:2 4 4 (1) 121:18 40 (5) 52:2;76:6,23;77:1; 127:17 40.2 (1) 116:23 43 (39) 9:1;10:14,18;13:4, 12;15:3;51:1,21;53:22; 89:13;90:11;91:2,4,13; 92:3;93:8,16;95:13,21; 96:22;98:4;99:5,10,23; 101:3;103:24;104:1, 21;107:15;109:15,19; 111:5,11,19;115:4; 122:9;130:10,16;138:6 435 (1) 63:5 49 (1) 106:14 5 5 (12) 25:18;115:10,13,16; 116:25;117:2,12,17,23; 119:1,5;129:10 5.91 (1) 42:17 50 (11) 30:3;50:17;51:3,24; 81:13;82:7;97:17; 102:16;106:14;114:18,	22 51 (3) 33:21,23;62:5 51.8 (4) 116:16;117:12; 124:3,18 52 (5) 29:5;74:23;86:18; 87:13,13 53 (1) 29:4 53.5 (1) 50:4 53.8 (1) 29:5 54.1 (1) 123:16 57,000 (1) 60:16 58 (1) 31:14 58.4 (2) 120:11;123:19 59 (1) 31:12 593 (1) 69:19 6 6.5 (1) 96:10 60 (8) 31:5,10,22,23;76:5, 22,25;110:24 60.2 (1) 50:12 60.25 (2) 32:21;33:4 60.5 (1) 29:7 61 (2) 32:17,23 62 (4) 31:17;32:25;33:6; 86:20 63 (5) 33:9,11,13,14;94:21 64 (2) 4:10;6:23 65 (6) 67:4,5,7,12;85:8,16 66 (2) 68:22,24 67 (8) 100:21,23;105:7; 110:5,7,17;112:15; 124:10 67-A (1) 100:16 67-B (1) 100:16 68 (13)
Y				
year (6) 28:22;30:15;31:20; 33:17,22;86:5 years (7) 17:2;24:1;29:1; 33:18;60:11;64:15; 78:15 Yesterday (2) 5:25;6:7				
Z				
zero (5) 8:20;10:25;32:12; 77:8;84:18 zeros (1) 77:9				
0				
0.20 (1) 40:13 0.29 (1) 40:11 0.56 (1) 42:20				
1				
1 (13) 7:2;32:21;77:8; 102:4,25;105:22,24,25; 110:8;113:9;132:20, 25;133:16 1.35 (2)				

104:8,9;105:6;
108:10;112:25;113:6,
10;120:19;121:5,17;
124:13,17;129:13
69 (11)
86:20;109:10,12;
110:12,16,21,22;112:4;
129:3,17,18

7

7 (3)
34:22;95:11;102:9
7.5 (1)
11:16
70 (15)
112:18,19,25;113:7,
14,21;116:4;119:8,12;
124:1,8,16;129:3,14,17
700,000 (1)
60:15
71 (16)
118:8,10;119:3,7,20;
121:4,6;122:12;
123:18,22;128:22;
129:8,11,22;130:14,15
72 (3)
137:5,7,13
74 (2)
78:6;98:5
75 (3)
77:5;78:5;85:8

8

8 (3)
96:11;102:12;103:15
80 (3)
54:24;55:1;82:20
80.7 (1)
62:4
8th (1)
67:15

9

9 (1)
40:5
90 (1)
84:11
99 (2)
60:16;114:22